

1 MR. BILLY: I would like everyone to take their seats,
2 please. Okay. Good morning, everyone. I'd like to get
3 started, please. The -- the schedule for today calls for us to
4 focus on two related issues. One is the issue of layering as
5 it has been characterized. That is, the relationship of the
6 proposed new requirements to the requirements, and also the
7 idea of a shift to performance standards and away from the more
8 traditional approach of command and control as the means of
9 spelling out industry -- requirements on industry and holding
10 industry accountable for meeting those command and control type
11 requirements.

12 What I plan to do is to first have Mike Taylor set the stage
13 in terms of the paper that was provided and try to characterize
14 our approach, the current thinking of the agency in terms of
15 the concerns, the issues that have been raised in this area.

16 We then plan to have Ralph Stafko talk about the regulatory
17 reform package because it is the mechanism by which the agency
18 plans to proceed to clean up the current regulations, convert
19 those that need to be converted to a HACCP based or HACCP
20 compatible type approach, eliminate others, and the other types
21 of changes that were -- we're planning to make.

22 Pat Stolfa will then talk about performance standards. We
23 got into it a little bit yesterday, but we would like to
24 provide a much more in-depth view about performance standards,
25 about what they mean, how specifically we would change existing
26 regulations that hopefully all of you are familiar with to a

1 performance standard type approach.

2 In that regard, I think to the extent it's appropriate to
3 then look backwards towards yesterday's discussion and talk
4 about what inspection would do in terms of performance
5 standards and what role inspectors would play with regard to a
6 performance standard type of approach would be very germane,
7 and so I would encourage us to do that. As we talk about some
8 of this new approach, let's look backwards, and things that we
9 talked about yesterday in the context of layering and
10 performance standards are very germane in terms of the dialogue
11 that we'd like to have today.

12 Again, I wanted to make a few announcements. We're not as
13 crowded today, but for anyone that's interested, there is an
14 overflow room where we are -- there's a TV where we're
15 telecasting the session. You can watch and listen to it.
16 That's room 4347. It's available to the public, to anyone,
17 agency employees, or anyone that would like to use it.

18 If there are other people that you have that haven't come
19 because of concern about space limitations, they're welcome to
20 come, and this will be consistent throughout these sessions.
21 So we'd like as many people as possible to observe what's going
22 on and to if they choose to to participate.

23 In terms of the schedule for today, again, I'm going to run
24 this first segment until about 9:30. I think several people
25 have encouraged shorter breaks, and I'm going to do that.
26 Maybe 15 to 20 minutes, and depending on the progress we make

1 through the morning, make a decision about the length of the
2 lunch hour.

3 The important thing is to have all -- a full discussion on
4 all of these very important issues.

5 MS. MACKLOW: Tom, can I raise a point of order?

6 MR. BILLY: Sure. Sure.

7 MS. MACKLOW: On September the 14th on the current
8 scheduled, it talked about the regular -- the regular --
9 performance standards, quote, layering. Tomorrow, you really
10 get into detail in terms of performance standards and microbial
11 testing, and I think that there are some people that are
12 planning to come tomorrow that are not here today to address
13 that issue in some depth, and I think it would be very
14 unfortunate if we got into the very in-depth performance
15 standard discussion today that really is intended tomorrow.

16 I think your today's discussion on performance standards
17 would be very summary and maybe a philosophical content, but
18 it's not the day for getting to the down and dirty -- you know,
19 the devil's details if you will.

20 MR. BILLY: I should make that very clear. We do not plan
21 to have the discussion about micro -- performance standards and
22 microbial testing that's scheduled for tomorrow today.

23 MS. MACKLOW: Yes.

24 MR. BILLY: Our focus today is more the philosophical
25 underpinnings of performance standards and then examples that
26 are associated with existing requirements and how -- how using

1 those examples, it will be an indication of how the program
2 will shift, how some of the current inspection procedures are
3 going to change. Current tasks that inspectors carry out will
4 not be continued. Other tasks will take their place, and -- so
5 that in that sense, in the context of layering or that notion,
6 it will -- I think it will provide a better understanding of
7 the kind of changes that are going to occur with regard to the
8 current requirements, and that it's not just what's -- putting
9 the new requirements in the form of performance standards.
10 It's going back into the existing regulations and wherever
11 possible converting existing command and control requirements
12 to performance standards.

13 So it's that latter part that will be the focus of today.

14 MS. MACKLOW: It's just a concept discussion.

15 MR. BILLY: Yes.

16 MS. MACKLOW: I just wanted to clear that up. Thank you.

17 MR. BILLY: Are there other questions about that or the
18 agenda?

19 MS. MACKLOW: I'm the only one talking this morning.

20 MR. BILLY: No, I don't think so. All right. Well, with
21 that then, I'd like to ask Mike to set the stage with the
22 agency's thinking with regard to layering and performance
23 standards and reg reform.

24 MR. TAYLOR: Thank you, Tom. Let me just say first that the
25 Secretary as well as the Deputy will be spending time with us
26 today and will be over later. The Secretary's schedule was

1 disrupted yesterday by a cabinet meeting, and that is also
2 requiring some follow up by him with the sub-cabinet within the
3 department this morning, but he will be spending time with us.

4 I want to really make some very general comments about the
5 subject for today's meeting because as Tom said, Ralph Stafko
6 and Pat Stolfa will be talking about some of the substance, but
7 I do want to really try to put this discussion in context, and
8 it's really the context that I talked about a little bit
9 yesterday regarding the fundamental shift in our whole
10 regulatory strategy that is embodied in HACCP.

11 And I would say to Rose Mary, I mean it is the -- the whole
12 issue of shifting from a command and control approach to a more
13 performance standard based approach is a very broad shift in
14 the program, and it goes way beyond the issue that we're going
15 to talk about tomorrow of specifically how we move towards
16 performance standards targeted at reducing harmful bacteria
17 because we have a whole system of regulatory oversight that is
18 currently based on a whole array of command and control
19 prescriptive requirements that have the potential to be
20 converted to performance standards in a way that we believe
21 will dramatically improve the effectiveness of the system.

22 And this is a shift that in our mind is really compelled by
23 the whole philosophy of HACCP which again is about more clearly
24 delineating the industry's responsibility for building the
25 safety controls into a process, to produce safe foods in
26 accordance with some appropriate safety standard. HACCP is

1 based on the plants taking responsibility for designing
2 controls that meet a performance standard if you will as
3 opposed to the government prescribing in detail who a company
4 is to go about producing a safe product.

5 And we will have a very concrete example of the nature of the
6 shift that we've got to be considering when we talk about the
7 proposal that we're developing to amend the current regulations
8 concerning cooked meat and poultry products to incorporate as
9 an alternative to the current command and control prescription
10 of times and temperatures, an alternative performance standard
11 articulated in, for example, degree of lethality required to be
12 consistent with what's achieved by the current command and
13 control elements of that regulation, but that would leave it to
14 the company to design the specific control that in their
15 process for their product would work the best, meet the various
16 needs that a company might have for designing a process to
17 produce a safe product, meeting an acceptable performance
18 standard, and you know, Pat will talk about how we've
19 approached that.

20 But if we're going to have a HACCP system in which plants are
21 designing process control plans, developing HACCP plans and
22 designing critical control points, we have to operate on the
23 premise that wherever possible, we should not be prescribing
24 the critical control points. We should be articulating the
25 performance standard outcome that a HACCP needs to meet, and
26 then holding the plant responsible for designing a system of

1 controls that meets that standards.

2 So again, performance standards as we see it, this shift goes
3 way beyond tomorrow's topic. It is the whole really
4 philosophical regulatory construct that we believe will work
5 best to improve food safety, and the reason we're so focused on
6 this is not only is it conceptually consistent with HACCP, but
7 we really do think it has enormous power to improve food safety
8 because rather than having the government be in the business
9 based on whatever its current state of knowledge is about how
10 food can be produced safely, the government being in the
11 business of prescribing how you go about your business.

12 Instead, we can articulate really on behalf of the public
13 what's an acceptable food safety outcome, and then harness the
14 innovative capacity and the technological sophistication of the
15 industry to design processes that will meet that standard, and
16 we think that is all part of putting the plants in the position
17 to do their job as well as they can -- that is, producing safe
18 products in a manner that meets their various constraints and
19 needs -- but also put us in a much better position on behalf of
20 the public to know whether a product is meeting an appropriate
21 standard of safety because we will have articulated that.

22 We will be able to focus more of our efforts on verifying
23 that plants are producing products and observing the controls
24 they believe are appropriate to meet an identified performance
25 standard. We think this just has enormous power to improve
26 food safety.

1 It also has power in our view to improve the way in which we
2 use our resources because, again, rather than focusing as much
3 attention as we do now on the -- some of the details of how
4 companies go about their business, we'll be focusing more of
5 our attention on whether companies are achieving the acceptable
6 outcome which is really what consumers care about is the safety
7 and quality of the food that's actually delivered to them.

8 And that's why our regulatory reform initiatives that we'll
9 be talking about this morning a little bit include addressing
10 some of the so-called prior approval systems that we operate
11 whereby, currently, we require a plant to submit in advance for
12 approval by FSIS blueprints, establishment specifications, so
13 that before companies build new plants or modify existing ones,
14 we have to approve the blueprints in advance. Equipment,
15 similar sorts of approaches and partial quality control plans.
16 We have prior approval systems for all of that.

17 When an environment in which we're seeking to more clearly
18 have industry take responsibility for the means of meeting
19 appropriate performance standards, we need to consider whether
20 those prior approval systems are really consistent with that so
21 that you know, rather than having the government take
22 responsibility for whether a plant is set up in a manner that
23 enables it to produce sanitary -- produce food under sanitary
24 conditions, we should be simply holding the plant accountable
25 for producing food under sanitary conditions.

26 So we're proposing to eliminate some of these prior approval

1 systems, and Ralph will be talking about that. This, again,
2 will also in our view contribute to making better use of our
3 resources, and I'm referring not only to the resources involved
4 in actually implementing that prior approval system in terms of
5 the reviewers here in Washington and the time spent by our
6 staff in the field in managing the approval process, but also I
7 think support the better use of our in-plant inspectional
8 resources because again, by virtue of just using the blueprint
9 example, by virtue of having a prior approval system for the
10 blueprint, to too great an extent in some instances, the issue
11 becomes the blueprint and whether the plant's -- the design is
12 actually in conformance with the blueprint as opposed to
13 whether the design of the plant is such that the plant is
14 actually able to produce food under appropriate sanitary
15 conditions.

16 And so again, it's all part of shifting our focus to holding
17 the plants accountable for meeting their responsibilities with
18 regard to sanitary conditions and product -- and end product as
19 opposed to micromanaging if you will some of the details of how
20 the companies go about doing that.

21 So it's that fundamental shift that we want to be talking
22 about and that we'll be addressing in today's discussion. One
23 of the commitments that we've made, and this is just essential
24 to the success of our initiative, is that any of these changes
25 that need to be made to be consistent with HACCP, to make HACCP
26 work, obviously, have to be completed before plants are

1 expected to implement HACCP.

2 And this is the basis upon which I, you know, readily say and
3 have said that we're as against layering in this sense of
4 putting HACCP on top of an existing regulatory regime. We're
5 as against layering as anybody because we can't operate and
6 companies can't operate under two such conflicting regulatory
7 paradises.

8 And so one of the things that you'll be hearing about is that
9 we've gone through already our regulations page by page and
10 have identified and will be publishing in the Register here
11 shortly a list of our -- our list of rules that we've
12 identified as needing to be revised, repealed, in some way
13 changed to be consistent with HACCP, and we're going to invite
14 comment on what other -- what people think. You know, have we
15 got the right ones? And we're going to go through a process of
16 addressing those regulations as part of the transition to
17 HACCP.

18 Let me just close by saying one thing more about what our
19 objective is for the meeting today. We ought to be talking a
20 little bit obviously philosophically about this whole
21 transition, and if -- and get out issues that are on people's
22 minds about the desirability of the transition.

23 I would also though really invite and urge people to provide
24 just as many specific suggestions as they can about aspects of
25 our current system that either should or should not be changed
26 in the course of such a transition. We really would like to be

1 sure as we're going through our work, day by day, that we're
2 getting the benefit of the most specific suggestions possible
3 about what should and should not change about the current
4 system as we -- as we consider this transition to performance
5 standards.

6 So Rose Mary, I'm hoping we'll get a little beneath the
7 surface, and perhaps you might even have some examples yourself
8 before the day is over. I'm counting on you.

9 MR. BILLY: Maybe at this point, it would be good to just
10 stop and see if there are any general questions that people
11 have about what Mike has said before we get into the specifics
12 of the reg reform package.

13 Are there any questions? Yeah, Ron.

14 MR. PRUCHA: Mike, is the list that -- Ron Prucha -- is the
15 list of regs to be deleted or modified that you are presently
16 considering, is it more extensive than what was in that
17 backgrounder that was passed out yesterday?

18 MR. TAYLOR: Absolutely.

19 MR. PRUCHA: I mean, I'm talking about the labels and the
20 blueprints and the product standards, and --

21 MR. TAYLOR: Very, very much so. We go through provision by
22 provision. We've done that, and we've pulled out -- you know,
23 I don't know what the number is -- dozens of specific
24 regulations that aren't mentioned in the background paper but
25 will be published in the Federal Register.

26 MR. BILLY: Dave.

1 MR. CARNEY: I was here yesterday off and on and plan on
2 being here all day today, but I've never heard anything
3 mentioned about egg inspection. You routinely refer to the
4 meat and poultry inspection regulations but nothing about eggs.
5 What's your intent on dealing with that?

6 MR. TAYLOR: The proposals, of course, address meat and
7 poultry inspection, and the issue of whether we would move
8 towards HACCP formally to carry out our new responsibilities
9 for egg products is one that we need to consider. We're not at
10 the moment really focusing on that. They are very different
11 issues really in terms of immediate food safety needs imposed
12 by egg products as compared to the array of meat and poultry
13 products we regulate including the fact that these -- to a
14 large degree, these are processed products that have kill
15 steps.

16 But conceptually, obviously, HACCP has potential application
17 there, and I think we're going to in due course have to look at
18 that. We're really in the midst still of managing the
19 transition of management responsibility for that program from
20 AMS to FSIS, and we will -- again, we'll have to consider that
21 sort of question in due course.

22 MR. CARNEY: Are you telling us that we may be looking at
23 another mega reg related to egg inspection?

24 MR. TAYLOR: I'm sure it will be an extremely elegant
25 document that would be produced. Thank you for your helpful
26 comment.

1 MR. BILLY: Dave reminded me. Again, I'd like to encourage
2 you to waive your name tag so we can keep a process going. One
3 other thing I was asked to say and forgot is that we're
4 pressing the limitations of the amplifier system, so what the
5 control person is doing is when I recognize something, he has
6 got to crank it up, and so there's a little bit of a pause
7 while he does that, and sometimes they're missing the names of
8 people.

9 So if you -- before you speak or say your name which I again
10 encourage you to do, say one, two, or something and then do it.
11 That would help. Patrick.

12 MR. BOYLE: One, two. See, I am trainable. As long as
13 we're talking generally about this shift and the philosophy
14 behind what you envision, I wonder if you could talk a little
15 bit about your prior experience at FDA which is in many
16 instances a performance based driven food safety system with
17 regulatory oversight of a fundamentally different nature that
18 what we've experienced in the meat and poultry industry, and
19 how would you compare and contrast the in HACCP system that you
20 envision once you've eliminated all the layering with the FDA
21 model? What would be the similarities and what would be some
22 of the major differences if any?

23 MR. TAYLOR: That's a huge topic, and the problem is you
24 can't -- it's very hard to make a general comparison because I
25 think you have to look at the subsets of the industry, the very
26 diverse array of industries that FDA regulates and what a HACCP

1 system might look like in the end, you know, for certain
2 industries that FDA regulates. Baked goods, for example, would
3 be radically different in certain -- in many important
4 respects look very different that a system that we might
5 implement.

6 And I -- maybe you ought to help me understand what you
7 really -- what would be helpful to you in this discussion so
8 that I just don't start talking on because I -- it's just such
9 a broad question.

10 MR. BOYLE: Well, to make it more specific and perhaps more
11 comparable to the meat and poultry and egg products within your
12 jurisdiction, you were actively involved -- both you and
13 Associate Administrator Billy in the development of the HACCP
14 proposal for the seafood industry at FDA. How would you
15 compare and contrast that proposal, assuming it's implemented
16 the way you proposed it, with your vision of a HACCP based meat
17 and poultry and egg products inspection system once the
18 layering that you want to eliminate has been addressed and
19 resolved?

20 MR. TAYLOR: Let me -- I'll say something general, and then
21 Tom's the real expert on that, and maybe he would like to
22 reflect for a moment on that.

23 Conceptually, in terms of the HACCP principles and the
24 concepts of clearly delineated responsibility and so forth, I
25 mean, there's full compatibility. And so I don't think you'd
26 see -- I mean, there would be no sort of conceptual difference

1 there.

2 Obviously, and again Tom may -- can talk about this better
3 than I, but there's a far -- far broader array of diversity of
4 products, first of all, species that would be subject to the
5 FDA seafood document and a far more diverse array of hazards
6 that need to be dealt with, and so FDA is taking certain
7 approaches to assisting the industry in identifying hazards
8 that, you know, we -- that may end up being somewhat different.

9 But the basic -- same basic concepts of HACCP and -- you
10 know, would I think -- I mean, it's very compatible. Tom?

11 MS. MACKLOW: I'm sorry. I didn't hear that. Are you --
12 with FDA with the seafood?

13 MR. TAYLOR: In terms of just the number of different
14 species that are, you know, used for food and the --
15 consequently, the array of potential hazards is just far
16 broader in the seafood arena than it is for meat and poultry.

17 MR. BILLY: Probably the best -- the best example of that is
18 if you were to look at the hazards and controls guide that the
19 Food and Drug Administration produced for assisting the
20 industry in doing the -- both the hazard analysis and the
21 development of their HACCP plans, the guide is dominated by the
22 array of potential hazards associated with about 500 common
23 commercial species, each of which bring a different set of
24 hazards to the table in terms of consideration.

25 Fin fish, shellfish, warm water, cold water, and it's not
26 just those that are off either fresh water, lakes and rivers,

1 or off our shores, but from throughout the world. The United
2 States depends -- about 55 percent of the seafood consumed is
3 imported from about 140 countries.

4 So it's not just the hazards that might be associated with
5 the effluence coming out of the Mississippi River in terms of
6 what's harvested in the Gulf of Mexico, but off the coast of
7 India or Japan or Australia, whatever.

8 So it presents a -- because of the wide variety of species a
9 whole different set of hazards -- potential hazards that must
10 be considered.

11 When you shift from that area and dealing with that aspect in
12 terms of what the processing plant must think about in terms of
13 raw material coming in the door if you will, to the processing
14 itself, then you all of a sudden see a great deal of similarity
15 in terms of the potential hazards associated with thermal
16 processing, just if you will a slaughter type operation,
17 cutting pillets, or other types of common processing procedures
18 used for seafood products.

19 And in that sense, there's a great deal of similarity, the
20 similar kinds of hazards, similar kinds of cross contamination
21 problems, and so forth. Once you leave the processing plant
22 then, there's -- there's a great deal of similarity in terms of
23 transportation, what happens at the retail level, and so forth.

24 So it parallels pretty well beyond that, and I think that
25 what you're going to see in terms of a final rule for seafood
26 will be very similar to what was proposed for meat and poultry

1 in terms of following the seven principles, the actual
2 mechanics of HACCP, and that kind of thing.

3 FDA also in its hazards and controls guide identified all of
4 the existing regulatory requirements in terms of pesticide
5 residues, microbial standards that are in place for, like, the
6 zero tolerance for salmonella in seafood, and other similar
7 kinds of existing regulatory limits or requirements that are in
8 place, and the intent there is that those requirements are the
9 performance standards if you will that the agency would intend
10 to hold the industry accountable for in terms of their HACCP
11 programs.

12 So control measures designed to accomplish meeting those
13 regulatory requirements or standards are part and parcel of
14 that regulatory scheme that has been proposed and is in the
15 process of being finalized for seafood products.

16 So in that sense, there is a very close parallel to shifting
17 what we'll be talking about today -- shifting a lot of the
18 existing regulations here for meat and poultry to the
19 performance standard type approach, and one of the benefits of
20 that being then the flexibility of the industry to decide how
21 they're going to accomplish that with a HACCP type strategy.

22 MR. TAYLOR: Patrick, let me just add one observation to
23 relate this topic to today's discussion in terms of -- in the
24 comparison between what we're doing and FDA is doing in the
25 transition to HACCP. FDA has never exerted the kind of command
26 and control regulatory oversight of food plants that this --

1 the Food Safety and Inspection Service has exerted over meat
2 and poultry plants, and that reflects the very different
3 histories and very different statutory regimes out of which
4 these two programs have evolved over the years.

5 So FDA has less to do in terms of getting rid of command and
6 control regulations because they have less to deal with, and
7 they already have in place, as Tom mentioned, performance
8 standards that HACCP can be the process control basis for
9 achieving.

10 So that aspect of the transition is somewhat simpler at FDA
11 than it is here.

12 MR. BOYLE: One final comment. It'll go directly to the
13 comment you just made, Michael. At the end of the process,
14 years from now I suspect, setting aside the statutory
15 differences, is your vision of how this industry should be
16 regulated at through FSIS similar to how you envision the HACCP
17 proposal being implemented for the seafood industry at FDA?

18 I mean, what would the role of the inspector be under your
19 vision of HACCP once we've gone through this transition here,
20 and is it comparable to what you envision at FDA and the
21 seafood industry?

22 MR. TAYLOR: I think it's -- that's a fairly complicated
23 question. Let me deal with two different aspects of it. In
24 terms of oversight of HACCP, specifically, and looking at the
25 question of the role of inspection conceptually, I mean, they -
26 - you'd envision similar constructs.

1 I mean, let's be -- let's be real clear. FDA is operating
2 under severe resource constraints with regard to the -- its
3 inspectional work force, and that is -- and that is a real
4 issue for the future with respect to the seafood program.

5 So -- so that's why I say looking conceptually at what the
6 role of inspection ought to be in terms of the roles we talked
7 about yesterday, validation, verification, enforcement, and
8 looking at the oversight of HACCP specifically, and
9 conceptually, you think in general -- you know, they'd be
10 conceptually the same.

11 But again, you have to recognize seafood and the safety
12 issues posed for particular species differ from the issues
13 posed for the particular species we regulate, and so it's not
14 as though you can -- it's a lock step sort of everything is the
15 same, but conceptually, HACCP and HACCP oversight ought to have
16 some commonality.

17 We have a statutory mandate to carry out carcass by carcass
18 inspection, bird by bird inspection. That really is addressing
19 an array of values that include but go beyond product safety,
20 and so that's why -- I mean, that's part of what makes it a
21 complicated question, and there are a lot of good reasons, you
22 know, why that examination of carcasses in meat and poultry
23 plants -- some approach to ensuring that carcasses meet
24 wholesomeness standards as well as standards has historically
25 been an important part of our program, and my -- I mean, my
26 expectation is subject to, you know, anything Congress might

1 choose to do, is that that concern or the set of values there
2 will remain part of the program and subject to some kind of
3 inspectional oversight.

4 I don't know if that's helpful, Patrick, but --

5 MR. BILLY: I'd like to add something. I think in the
6 context of a regulatory strategy for seafood -- again, as the
7 example we're talking about that reflects a farm or water to
8 table approach. FDA is the head of our agency. There are
9 several examples. One example being with shellfish where
10 because of the nature of shellfish, there's actually a need for
11 control measures to decide whether shellfish can be harvested,
12 that are very unique to shellfish. FDA in cooperation with the
13 states have a regulatory regime that's long-standing to address
14 that.

15 FDA also deals with inspection of vessels where there's
16 particular hazards that are -- where it's necessary to do that
17 unique to certain species again where those hazards exist, and
18 there are certain species as an example where just harvesting
19 them and leaving them in the net too long will result in a
20 production of a toxin, and so it's important what the practices
21 are on the vessel.

22 And then on the other side of processing where in fact part
23 of our strategy with regard to transportation and retail is to
24 take advantage of the years of effort FDA has put into working
25 the states to bring better regulatory controls and inspectional
26 activities to the retail level, and so it's -- you know, it's

1 not all one or the other. I think in that instance, part of
2 our thinking was influenced by that kind of strategy that is
3 actually a long-standing one in the Food and Drug
4 Administration.

5 Yes. Katie.

6 MS. HANIGAN: Katie Hanigan with Farmland Foods. Question
7 for Mr. Taylor this morning. Good morning. Once we're through
8 with revising and deleting regulations, making them more
9 compatible to HACCP, will this eliminate regional notices to
10 our plants?

11 MR. TAYLOR: Bill Smith can deal with that --

12 MR. SMITH: What we want to use or are moving to with our
13 notices now, especially in HACCP, is instructions to our
14 employees, and what we want to do is have a shift there also of
15 not -- we want the requirements, the regulatory requirements
16 and the standards to be in the regulation, and notices to serve
17 only as the function as instructions to employees on how to
18 carry out those responsibilities and not interpret or add
19 additional requirements that are not in regulations.

20 I think sometimes we -- or pass directives and notices may
21 have put in that arena. We are moving specially now that these
22 would be instructions to employees on how to carry out their
23 responsibility.

24 MS. HANIGAN: Thank you.

25 MR. HENDRICKS: Good morning. Two, three.

26 MR. BILLY: Four, five.

1 MR. HENDRICKS: Is it on now?

2 MR. BILLY: Yes.

3 you pick me up? Okay. My name is Lamar Hendricks. I'm with
4 Hillshire Farm and Conn and I'm the director of regulatory
5 compliance for that company. We produce a large -- a large
6 amount of cooked sausage products.

7 With Hillshire Farm and Conn, being the director of
8 regulatory compliance, it's part of my responsibility to go out
9 and interpret all of the policies and memos and regulations for
10 our plants and to help them implement these things into the
11 plants.

12 It's a job. When we look at inspection tasks, I think that
13 inspection oversight should continue under HACCP. I'm not --
14 I'm not for doing away with the inspection, inspectors, and
15 some of the things they do today, but I do believe that the
16 frequency of verification can be modified depending upon the
17 complexity. I think it has to do more with public health risk
18 and very importantly the history of compliance for that
19 facility.

20 I think if we do a good job, I don't believe that we need an
21 inspector in there all the time. We don't, but I want those
22 inspectors to audit our programs, audit our food safety
23 systems, and help assure us that we're following those HACCP
24 programs.

25 Just as I'm going to go out and audit our facilities, I want
26 those people to be there to do that as well. I think the role

1 of the inspector would shift to verification of food safety
2 systems, and it should be towards critical control points
3 within the HACCP program.

4 When we talk about a regulatory shift, we've got to do
5 something to take it out of the current manner in which we do
6 business. We can't layer these things on top of what we're
7 currently doing now, and I'm not talking so much specifically
8 about doing away with labeling or some of these other systems
9 that we have today although I think we could cut down
10 tremendously on those things, and there's some good things
11 about those.

12 I like the idea that we can go in and talk to -- well, I
13 guess Roy Oyster or some of these people who've retired now,
14 that we can utilize some of their expertise in our systems. I
15 worked with Mike Donovan and these people. I need to be able
16 to talk to those folks, but I don't necessarily need them to
17 approve every single label that goes through our system.

18 When we talk about packaged product compliance, I think the
19 department really did a good job when they went and asked their
20 top to bottom review people to go through and look at all these
21 things. These are the people who know what's going on. Not --
22 well, I don't know about the top so much, but I deal a lot with
23 the other folks in this administration.

24 But they have recommended, and I have not read the 600 pages
25 of material, but I have read some of the short summaries, and
26 last night, I listened and I went back and thought about this,

1 and it seems like this task force has suggested a separation of
2 economic and safety issues, and I made a few notes about it.

3 Current inspection tasks such as checking net weight,
4 declared count, nutrition labeling verification, compliance
5 with product standards, PQC programs can be I think
6 accomplished much more efficiently than the current system.
7 Maybe through the use of statistical sampling programs,
8 charting, and other QC tools.

9 I think many products from different plants could be
10 monitored either at distribution centers around the country or
11 retail outlets, and I think some of those functions that we've
12 done in the facilities could be done much more efficiently --
13 and I'm talking about -- I could check if I was on this team of
14 inspection personnel, I could check just about everybody in
15 this room within a week as far as nutrition labeling.
16 Verification, protein, fat-free control programs, fat in the
17 added water. If they wanted to do microsampling, they could do
18 that.

19 You can do so much more so much easier than the way that
20 we're doing it now. And I think -- I mentioned some of the
21 approval systems that we have. I get a lot of -- out of food
22 labeling divisions, I get some good help from those people, but
23 like I said once before, we don't need them to stamp everything
24 that we go through. We don't need to argue with them on
25 whether this product is in compliance.

26 That's where you go back to the history of compliance. If

1 the plant is doing what they're saying they're doing, and
2 they're performing in accordance with the law, then that should
3 be enough.

4 When you talk about patrol assignments -- I heard some of the
5 inspectors talk about patrol assignments -- and I've been in
6 the industry thirty-some years -- a lot of those guys are on
7 patrol assignments right now. They're not in that facility
8 every day. If they need to be, they need to be there. If they
9 don't, they don't, but let the history of compliance for that
10 facility, the auditing systems that we have or that we plan to
11 have utilize those people properly.

12 MR. BILLY: Alan -- this is setting the stage for the more
13 detailed discussions, so a couple more and then anyone else, we
14 can get back to it. So Alan?

15 MR. OSER: Is this thing on? Okay. Alan Oser from Hatfield
16 Quality Meats. I applaud what you're doing in Washington here
17 with the regulatory review. This is all stuff that has to get
18 done, but I would caution you that defeating or meeting this
19 layering challenge here is not equal to decentralization of
20 authority.

21 If we simply eliminate blueprint approval and equipment
22 approval at the Washington level but throw it back into the
23 hands of your in-plant inspectors, you haven't really changed
24 anything there. All you've done is decentralized. And when
25 you decentralize, the ability to keep things on a fair and even
26 plane, the ability to control these kind of things from a

1 management standpoint become less and less.

2 So I would hope as we're fooling around with some of these
3 things, whether it's label approval or these other items here,
4 that we're really accomplishing this process of removing
5 layering, and we're not just decentralizing. Because I think
6 if we decentralize, and you lose control at this level, it's
7 just going to make things much, much worse.

8 The trick here is really focus. The industry is concerned
9 about the elimination of regulations but less so than what's
10 going to happen when the rubber meets the road which is in the
11 plant. It's absolutely essential that the inspection effort be
12 walking in lock step with this HACCP effort in the plants.
13 This has got to be a partnership, not a competition.

14 We can't go into this with the mind set that, well, we don't
15 know if this thing's going to work or not. We're kind of going
16 to have this HACCP plan running, and we'll do our thing, and
17 the company will do their thing, and if don't like what we see
18 -- you know. It's not going to work. You might as well not
19 even do it. We're either kind of in this together or we're
20 not, and I would caution that as we are decentralizing here,
21 we're decentralizing for the right reason.

22 When you go to -- being a veterinarian does not make you an
23 expert at reading blueprints. I would suggest that our
24 veterinarian and myself, neither one of us can probably read a
25 blueprint, and the company is about to spend \$2 million, \$3
26 million, \$5 million, \$8 million on a facility. They need

1 somebody to sign off on this thing that when it's -- when the
2 concrete's poured, they're not going to have to come back with
3 a bunch of jack hammers and rip everything up.

4 So these are some of the hard issues that you're going to
5 have to deal with on these subjects.

6 MR. TAYLOR: Alan, let me just reiterate the concepts here,
7 and I will use blueprint approval to illustrate the concept
8 underlying our proposal.

9 The concept is not decentralizing of the blueprint approval
10 process. It is getting the agency out of blueprint approval as
11 a mechanism for carrying out our food safety oversight
12 responsibility, our sanitation oversight responsibility, and
13 the important underlying principle is that the plant ought to
14 be responsible using whatever resources and expertise are
15 available at the plant design stage for designing a plant in
16 which they can produce products meeting the sanitation
17 responsibilities that exist under the law.

18 I mean, one of the issues that we ought to be talking about
19 in the course of the day's discussion is if the principle is
20 get FSIS out of the blueprint approval process and shift our
21 focus including the focus of our inspectors, not from whether,
22 you know, some feature of the building is consistent with the
23 blueprint, but rather whether the plant is operating under
24 sanitary conditions, what role should we have in providing
25 guidance or, you know, assistance answering questions?

26 I mean, that's another issue, but let's be very clear. The

1 proposal to get us to eliminate the prior approval system for
2 blueprints is not to decentralize the prior approval system but
3 to eliminate it. We will be out of the business of approving
4 blueprints as a regulatory matter.

5 MR. BILLY: Dave.

6 MR. CARNEY: Is it on? Dave Carney with the National Joint
7 Council. I'd like to address the concern that Katie had about
8 regional notices. If top to bottom is taken seriously, there
9 should be no regions in the future to issue notices.

10 Speaking of top to bottom, there were ten teams on that, and
11 I received some summaries of those teams, and there was a
12 deadline for those summaries to be presented. I see nothing to
13 deal with day one, and day one is a very serious issue on how
14 inspection will be conducted once HACCP becomes a reality.

15 So I want to know what the status of day one is. It appears
16 day one has now turned into the longest day.

17 MR. SMITH: Well, we spent a lot of time yesterday talking
18 about day one. Day -- day one -- we have published a proposal
19 identifying certain dates to -- that are going to be met,
20 implementation dates, for both the near term and for bringing
21 particular processes under the HACCP proposal.

22 So day one essentially talks about implementing that proposed
23 rule under the existing structure given that we -- given the
24 time frames that we have so that you would have recognized that
25 we have regions, we have areas, and we have circuits because
26 that's what exists now. That is what we'd have to implement

1 under that structure, and so that is why day one has been
2 separated from top to bottom because that has been built around
3 implementing under the existing structure and letting the top
4 to bottom piece work so that if there's change, that it would
5 come through that mechanism.

6 I mean, in what the inspector role, the tools -- we're
7 planning to use the inspection support system in PBIS. It
8 would be used at least on a day one activity. So it's using a
9 lot of what exists today, not in a layering mode, but taking
10 what we can use, the assignment of inspection tasks, and
11 recording of inspection results, and classification of
12 deficiencies using existing systems at this point to carry out
13 the very early implementation, and that's different than top to
14 bottom which -- which looks at different ways of being able to
15 carry out things but may be on a longer time frame.

16 So that's why day one has been separate from the top to
17 bottom issue.

18 MR. BILLY: A way to think about characterizing the day one
19 is that it's a planning exercise to figure out, and recognizing
20 it's contingent on what final decisions are made about what the
21 actual requirements are in the final rule and what the actual
22 time frames will be, and there's an awful lot of comment and
23 discussion in these meetings that will impact that.

24 So -- but regardless, in terms of the kind of changes that
25 are constituted in the proposal, it's necessary for us to lay
26 at least some planning around how we would get all the training

1 that needed done, how we would change the existing directives
2 and inspector instructions, and so forth to make this kind of
3 different approach work.

4 So the day one exercises are really built around doing some
5 of that preliminary planning so we're prepared to meet whatever
6 is necessary and actually moving forward to implement this.

7 Katie?

8 MS. HANIGAN: Kim has got a question.

9 MR. BILLY: Okay.

10 MS. RICE: I've got a question for Bill. Could you explain
11 to me how what you just described for day one is not layering
12 if you're going to use your existing systems and --

13 MR. SMITH: Using the existing support systems. As an
14 example, I can give the process 0-1 -- I believe that's the
15 first process that is implemented 12 months after the final
16 rule as proposed now -- talks about raw ground product, and
17 currently, in our present inspection system guide, we have at
18 least seven critical control points under process six if you're
19 familiar with those.

20 So we address raw product under the sausage part. We address
21 it under the ground beef part. We address it under the
22 miscellaneous which includes the poultry and several others,
23 and we also address it at that point in our inspection system
24 guide, receiving, and then on the other end, storage and
25 shipping.

26 If those are all critical control points, then we get into

1 the process of verifying, as I said yesterday, the complete
2 system, and so now your inspection task would focus on the
3 verification of the records of the CCP monitoring records or
4 the CCP -- some on-site monitoring of the CCPs. Again, then
5 record process review of the plant verification activity and
6 also on-site of the plant verification activity, hands on.

7 What that means is then if you're in that mode, and that's
8 covered under the HACCP plan, you would shut off or delete
9 those tasks then that are presently exist. The time probably
10 won't change a whole lot because the inspection tasks now would
11 go -- we would picture could take -- or five and ten minute
12 tasks -- looks something specific now, looking at this process
13 overview concept and make an analysis.

14 We could have, you know, tasks could take an hour or so, but
15 it's looking at the whole at one time and not repeating the
16 duplication. If -- if you have cooked sausage and cooked hams
17 and roast beef, and they're all under the process category of
18 cooked -- fully cooked, not shelf stable, then there's no need
19 to look at the cooking of each one of those products. You need
20 to look at the process complete, and then -- and make a
21 determination whether all those products are in control or not
22 in control.

23 Whether cooking is in control for all the products that are
24 in that process instead of looking at each product individually
25 and making that determination. So that's how we would shift in
26 that mode.

1 MS. RICE: It sounds to me -- you use the term CCPs when
2 you're talking about fresh ground product for shipping and
3 storage, and it -- does that mean that you've already
4 determined what our CCPs should be?

5 MR. SMITH: No, no, no. What that means is you're going to
6 identify the number of CCPs, but in our current configuration
7 in the ISG, we have categorized certain, and so wherever the
8 plan, HACCP plan comes up with it, CCPs -- wherever they're
9 duplicated in the current system, then we cover those under the
10 valid -- the verification activities, and once we have done
11 that, then there's no need to duplicate those under the
12 existing monitoring plans, so those tasks specific to that
13 would be turned off.

14 MS. RICE: How will you handle where you have something and
15 we don't? If you've determined if the CCP in our model shows
16 it's not because you've previously determined it is, does that
17 mean it is?

18 MR. SMITH: No. If you have in your plan that you're going
19 to have a process for raw ground or for -- or cooked sausage,
20 that is defined under the processes of what products going
21 under that in the regulations, and therefore, then we've said
22 that we are going to verify your process to control that.

23 That means any association we have right now currently in the
24 ISG for that process would be turned off as that comes on line.
25 So we're not going to dictate what those particular -- we're
26 going to verify what you have, and that's what we tried to say

1 yesterday.

2 We're going to be verifying the CCPs that the plant puts in
3 place because we already have validation. If the plan is
4 validated and it proceeds past the, you know, the validation
5 step, then we know we have a process in place to produce a safe
6 product.

7 Then we're going to verify that that's being followed, and so
8 we don't need to schedule a ham temperature check because
9 somewhere the kill step that's associated with that process
10 will be monitored in the -- in your HACCP plan, and that's
11 where we would pick up that monitoring responsibility.

12 So there's no need to verify it and then go back and do a
13 product specific task. That would be duplicative. So that's
14 why -- that's what would be shut off.

15 MS. HANIGAN: Okay. I've got another very basic question.
16 Yesterday, we went back and forth on the inspectors' role,
17 verification, validation, and I think we need to clarify it
18 this morning.

19 When the inspector is validating our HACCP program, is that
20 or is that not approval in your eyes? I want to know are you
21 telling the inspector they are approving the HACCP program or
22 they are validating it because I think there's a lot of views
23 here on the table.

24 MR. SMITH: The inspector will be validating the HACCP plan,
25 and that means that he -- he or she will be looking to see that
26 the seven principles that are proposed in the regulation have

1 been addressed in that plan.

2 MS. HANIGAN: It is not approval then.

3 MR. SMITH: No.

4 MS. HANIGAN: Thank you.

5 UNIDENTIFIED PERSON: How do you -- this is Jim --

6 MR. TAYLOR: If I -- Jim, let me just -- let's -- in the
7 effort to be clear, let's talk about this just a little bit
8 more because -- I mean, I know that there's some who view our
9 inspectional oversight in a validation mode with respect to a
10 HACCP plan to be the functional equivalent of our approving
11 plans, and let's just talk about that a minute.

12 One thing we said that we don't intend to do as the -- as we
13 transition to HACCP is set up a process whereby in advance of
14 operating under HACCP, plants submit plans to us which we then
15 approve in advance of those plans being put in place and
16 operating, and so in that sense, we are not engaged in prior
17 approval. We won't have an approval system for plans.

18 On the other hand, we will be in the mode of providing
19 inspectional oversight in the three modes -- again, as our
20 current thinking that we described yesterday -- of validating
21 that plans are appropriate, adequate validated HACCP plans,
22 then verifying their operation and take enforcement actions
23 appropriate.

24 If in the validation mode, carrying out our inspectional
25 oversight role, we find that a plan has not been properly
26 validated or does not meet the HACCP principles, we will take

1 appropriate action under the regulation to see to it that that
2 happens.

3 So I don't want us to get sort of into a semantical confusion
4 here by trying to reduce our role to the sort of simplistic,
5 are we going to approve or not approve, because if in a
6 validation mode, providing that oversight, we judge that the
7 plan doesn't meet the requirements of the regulation, then we
8 will take action to see that it does.

9 Now, that's what we have in mind, and that's what we ought to
10 be talking about, not simply are you approving or not
11 approving. That's the functional sort of concept that we have
12 in mind for our oversight at the validation stage.

13 MR. BILLY: Okay --

14 MR. TAYLOR: Let me just say, we'd welcome some comments.
15 If there's thoughts about is that what we ought to be doing, is
16 that what we shouldn't be doing? I mean, let's have that
17 conversation.

18 MR. BILLY: Waive your flag and you'll be --

19 MR. BERNARD: Thank you. Are we powered up down here?
20 Thank you. Dan Bernard, National Food Processors Association.

21 Let me start because I wanted to talk about layering, and
22 I've had some comments about that because it's where we
23 started, but this last discussion on verification and
24 validation -- I mentioned some of these things yesterday, and
25 Katie has brought it up again -- and it's obvious that we're
26 not in absolute agreement on what is here.

1 The agency has developed what might be called generic HACCP
2 plans for certain commodities. It doesn't -- you have to do
3 that. We recognize that you have to have some idea of what is
4 acceptable before you go to the field with a plan. You don't
5 have to look at this and say, well, we're just going to take
6 anything industry puts on the table as okay.

7 That's not going to happen. That's not real world. We
8 recognize that the agency is going to have to have some idea of
9 what to expect when it goes to the field.

10 Now, if a firm that you happen to review has a different plan
11 than what's your expectation is, that's where in my opinion the
12 validation process kicks in. Why is this plan different? Let
13 me back up a stage, and I think that before the agency goes to
14 the field with what you think ought to be there, those ought to
15 be consensus plans. They should be reviewed so that we have
16 some idea of the science behind those plans rather than just
17 have the agency put together what they think is right.

18 Once we get to that point, there has got to be some
19 discussion, and this is why in our comments we said there has
20 to be some dispute resolution mechanism in place because you're
21 going to go out there, and you're going to find a lot of
22 differences in HACCP plans because they are individually
23 developed. Some are going to be good. Some are going to be
24 not so good, and you're going to have to work through that in
25 the validation process until you come to say, well, this plan
26 is okay. We think this is -- will meet whatever performance

1 criteria are your expectation.

2 Once that is done, then the inspectors in the field are fully
3 capable and should be authorized to verify, conduct, whatever
4 activities need to be done to verify that that plan is being
5 followed day in and day out.

6 That is the way I see those terms, and that's what I
7 understood when I read the documents that were presented and
8 that came out of the day one group and have been incorporated
9 into the top to bottom review. That's the way I understood
10 that. Now, if that's not correct, then I think we ought to go
11 back and revisit that.

12 MR. BILLY: That's correct.

13 MR. BERNARD: Okay. Can I go on to layering then? Excuse
14 me. Both Lamar and Alan Oser have hit at what I think the
15 target of this exercise in determining what it is we're going
16 to change should be, and it gets down to what is going to
17 happen in the plants. We can talk about blueprint approval.
18 We can talk about all kinds of things, but I take you back to
19 my comments yesterday.

20 I'm going to preface this remark by reminding you that this
21 is not about inspector jobs. This is about what inspectors do
22 once we have put in place a different system, and everything
23 that we do should support that system which means that the
24 people on the front lines have got to understand the system and
25 have got to conduct their day to day activities such that the
26 system itself is supported.

1 In far too many plants, the operation has been reduced to a
2 single critical control point, and that is whatever the
3 inspectors happen to be emphasizing in that region on that
4 particular day. The system has got to be changed such that
5 plants are encouraged and allowed to assume the responsibility
6 for the safety of the products that they produce.

7 In our opinion, the system has taken that responsibility and
8 placed it squarely in the hands of the inspectors, and that's
9 not an entirely bad scenario. I mean, we've all agreed that we
10 need inspection and that there is a need for oversight.

11 But until that responsibility is fully placed back on both
12 shoulders, the inspectional force and the agency as well as the
13 industry, HACCP is not going to be a productive vehicle. The
14 changes and the layering that need to be considered should be
15 targeted toward that.

16 Plants should be fully empowered to make the decisions on the
17 safety of the products and to react to those rather than the
18 current system which has, for some reason, placed the entire
19 burden of the safety of that product on the inspectors. We
20 leave it to the inspectors to find things that are wrong
21 because that's what the system encourages, and that should be
22 the target of changes that we need to make.

23 Thank you.

24 MR. SMITH: I just want to reiterate a couple of things you
25 said. The validation that we'll be training our inspectors to
26 do -- again, goes back -- we're not -- we don't have any set

1 plans at this point. Generic plans will be made, but they are
2 -- and they are going to be offered to the industry, certain
3 segments of the industry to help them do their program.

4 What we're going to be doing with inspectors is asking them
5 to on validation to see that a hazard analysis has been
6 conducted. The critical control points -- and after those
7 hazards have been identified, the critical control points have
8 been established to control those hazards, the critical limits
9 are set. Then there's going to be monitoring activity however
10 the plant decides that monitoring activity is going to take
11 place, that there's going to be records kept, and that there's
12 going to be corrective and preventive action identified for
13 when the critical control point failed, and then what is the
14 plant's -- overall verification activity.

15 So once we see that those seven points are in a program, and
16 the critical limit we'll be looking for on things -- if a kill
17 step that -- we want to see some kind of evidence that supports
18 why that critical limit controls those hazards. Given that we
19 have all that in place, then if something goes wrong, we will
20 be training our inspectors, but the plant needs to take
21 responsibility, and if a critical limit is not met and the
22 plant tags that up and then reworks that product or redoes --
23 does something with the chill step to make sure that the
24 product is safe, and they make the decision to ship that
25 product, the only role we would have in that would be
26 verification that that occurred, not that we -- that FSIS has

1 to approve the shipment of the product before it goes out.

2 That's a fundamental shift and change, so that gets us out of
3 what we're doing currently with the roast beef which says if I
4 have a cooking deviation, I call the regional director. If I
5 have a cooling deviation, I call the regional director. That
6 is not what -- the mode we will be in. The mode we would be in
7 would be that if the plant -- if there's a cooking deviation,
8 process in authority says either that's adequate or has to be
9 recooked to a certain temperature -- and time/temperature.
10 That takes place. That's documented that that's done. The --
11 first, the documentation would have to be in place to support
12 why this is a safe process, and then that it met that process,
13 and then that product moves.

14 The only thing we're asking under the HACCP system and
15 verification is that there's record of that that we can --
16 either on site we will verify that or there's records that we
17 can verify that that took place, and that's the mode we intend
18 to be in.

19 MS. HANIGAN: Bill, I have a follow up to that. Okay?
20 Training here is going to be critical then. For the inspector,
21 obviously, training is going to be very critical, and I don't
22 want to get way off base here, but 18 months ago, when red
23 meat, pre-op slaughter program came on -- well, we -- it
24 seemed like it should be pretty straight forward day one of
25 that program, too.

26 And I guess we did have industry and agency training at

1 various locations throughout the country. I thought that
2 worked pretty well. One of my concerns here, we're talking
3 about the inspector's role, I read through your brief papers
4 back to us, and understand that because of time and resources,
5 industry will not be permitted to attend the training sessions
6 that the inspectors are going to go to, and we're going to try
7 to do this again in field sessions, et cetera.

8 Correct me if I'm wrong, but I think it's essential that when
9 we get into the inspector's role that we need to have industry
10 members there so that we all fully understand what everyone's
11 role is because this brief six days that we're together is not
12 going to cut it.

13 We're still going to have massive confusion as to what
14 industry thinks and what the inspector thinks, and I don't
15 think it's fair to either party, and I think you need to relook
16 at the training, and when we're looking into a program of this
17 magnitude, how much -- I know resources are tight, but how
18 essential is it that we train correctly from the first step and
19 not try to go back and retrain us or the inspector?

20 MR. SMITH: I agree, and that -- we're taking that into very
21 serious consideration with the -- with the training development
22 and understand that that -- that's an absolute necessity in
23 order to have a successful program. So we understand that
24 interaction has to take place.

25 MR. LOCHNER: Most of your examples you've been using deal
26 with a critical control point that has an elimination step or a

1 kill step which I think are fairly straight forward examples.
2 The real complexity in my mind is HACCP in fresh meat and
3 poultry.

4 Technically speaking, if we just know where the hazards are
5 introduced but do not have the technology to change them, then
6 all's we're doing is writing a standard operating procedure and
7 perpetuating the same hazards being introduced, and we're not
8 going to see a dramatic change -- excuse me -- in product end
9 point.

10 Is the agency considering getting involved in dictating
11 process change where technology is available to force an
12 improvement in the overall microbial quality, i.e., the
13 frequency of pathogens on meat and poultry products?

14 I think we're kidding ourselves on fresh meat unless we
15 analyze every step of the current process, where the technology
16 -- ask the question, is the technology available to make an
17 improvement? If so, let's make an improvement. Otherwise,
18 we're not going to see an improvement in fresh meat.

19 MR. TAYLOR: Jim, let me offer sort of the philosophical
20 answer to your question just given our current thinking about
21 how to achieve progress. I think you make a very important
22 point, and I believe that the industry has a responsibility
23 independent -- and I think I hear you saying the same thing --
24 has a responsibly independent of anything we do as a regulatory
25 matter to be working continuously to improve through
26 technological innovation and every other means the safety of

1 products.

2 We're -- our approach is to say that the role that regulatory
3 oversight can best play to foster that sort of progress is not
4 by mandating, dictating in your terms the specific technology
5 but rather by establishing performance standards with respect
6 to the significant hazards associated with those products.

7 And what we need to take into account over the long term --
8 and we're -- you know, we specifically characterize our targets
9 for pathogen reduction as interim targets for pathogen
10 reduction because we're at the beginning of a process of
11 putting in place performance standards for harmful bacteria on
12 raw product, and in doing so, our contribution can be to take a
13 count of where things are technologically.

14 We also need to look -- as we signaled in the proposal, the
15 issue of what's the appropriate -- are we able to determine
16 from a strictly public health standpoint what's the appropriate
17 performance standard.

18 Our role primarily over the long term should be in
19 articulating performance standards that provide incentive for
20 the kind of technological innovation that you're talking about
21 as opposed to necessarily dictating technologies. We -- you
22 know, we know short term. We've put on the table the issue of
23 anti-microbial treatments as an element of a system as we
24 transition to the future, but as a broad philosophical matter,
25 we should be about performance standards as opposed to
26 dictating the means of achieving them.

1 MR. LOCHNER: Well, then I think when we discuss fresh meat,
2 we might as well get on with it and tell us what the
3 performance standards are going to be because I think a lot of
4 debate -- and could be -- could be sacrificed and we could get
5 to it if we were talking about the performance standards.

6 Just for an example, I think on layering -- and I was going
7 to do this yesterday -- there's a tremendous amount of
8 standards, particularly dealing with sanitation, that really do
9 not contribute to product safety. If everybody wants to look
10 up to this skylight in the center, you're going to see rust,
11 and you're going to see peeling paint. Technically speaking,
12 this room does not qualify to be an operational room, and we
13 should cover every pitcher of water in here because we have a
14 hazard.

15 The hazard that we have from that is no different in a meat
16 plant than where we're sitting today, but if we stop -- and it
17 should be corrected, long term, but short term, should we
18 sacrifice two hours of production to correct that situation
19 when I know very well that it's not going to increase the
20 hazard microbiologically or physically to the product?

21 That's the type of issue we're going to be debating. If I
22 polled everybody, and we looked up here, and I said it's
23 unacceptable, normally I'd be arguing that it is acceptable,
24 but I'm going to take the position that it is unacceptable,
25 reverse my role. Half the people, seriously, would argue it's
26 acceptable and unacceptable, but the reality is it made no

1 difference to the microbiological hazard of the product on that
2 day, but that's the type of issue we got to get around.

3 So we focus on the things -- on the detail that does not make
4 a difference, and we should be focusing on process and root
5 cause that makes a difference. That's the transition we got to
6 make. That's the transition the inspector has to make. That's
7 the transition all in industry. It goes back to root cause.
8 Where is the hazard?

9 If we know a process step contributes to cross contamination,
10 we better correct it, and how aggressive you are in the
11 performance standards and how equal you are will dictate how
12 fast we get there. So I'm going to have a huge problem if we
13 have gross differences in performance standards on fresh meat
14 and poultry products.

15 MR. TAYLOR: Jim, let me just say again in terms of where
16 our current thinking is on the specific sort of issue you raise
17 here, and we got into a little bit of this yesterday in talking
18 about the sanitation SOPs, and I think again, we've got to --
19 we've got a -- I mean, it's on the agenda for the 27th to talk
20 about this in detail.

21 But the concept underlying the sanitation SOP proposal is to
22 focus the SOPs on those conditions that pre-op or during
23 operations relate directly to the possibility of product
24 contamination, recognizing that there are other sanitary
25 conditions that over the long term ought to be addressed, but
26 we want to focus our efforts, our inspectional effort and focus

1 the daily attention of the plant on those critical sanitation
2 issues that relate directly to the possibility of production
3 contamination and increase the effectiveness of the system for
4 holding plants accountable for meeting that -- those sanitation
5 responsibilities very rigorously on a daily basis.

6 So we're -- that's the whole purpose of the sanitation SOP
7 issue. You also put your finger on a real -- you know,
8 something we need to work on, and that is -- and let's spend
9 time on the 27th doing this -- what are those critical
10 sanitation elements that ought to be the focus of a sanitation
11 SOP that we would then use to rigorously hold plants
12 accountable on a daily basis for meeting their sanitation
13 responsibility.

14 And let's discuss that, but that's the whole shift that's
15 embodied in sanitation SOPs. It's focusing our resources and
16 yours and doing a better job of meeting those core
17 responsibilities.

18 MR. BILLY: Yeah, I thought that David, Stanley, Angie, Mike
19 Donovan, Nancy, Steve, Joe, Irwin, and Dane -- what I -- okay,
20 okay. And Caroline and Alan again. All right. I got Jim.
21 It's all right. I've got you, Jim. You're on there. And who
22 else. Alan again.

23 Maybe now would be a time, Mr. Secretary, where you'd like to
24 say a little bit in terms of --

25 SECRETARY GLICKMAN: Well, just -- I voluntarily sat next to
26 this drip here. I want you to know that.

1 MR. BILLY: I don't think Jim has seen this but --

2 SECRETARY GLICKMAN: There is actually dripping --

3 MR. BILLY: -- there is condensation coming down that's
4 dripping here in this container.

5 SECRETARY GLICKMAN: We did this to show you our serious
6 budget problems here. Well, let me -- a couple things. One is
7 that -- I regret that I haven't been here more. Unfortunately,
8 when Senator Lugar calls or Congressman Roberts calls, I have
9 to get over to the -- to the Congress because we've been
10 negotiations on farm bill stuff, but either I or the deputy
11 were going to make a conscious effort to be here most of the
12 time, and of course, others are here from my office including
13 Kim Schnoor who are taking copious notes.

14 Just hearing this last discussion, however, is a good example
15 to me of the complexity of what we're talking about and the
16 need for some precision in what the rules are like because
17 otherwise, you know, it's kind of like quicksand. It's never
18 ending, and one never knows what your responsibilities are, and
19 yet, you know, in the normal regulatory climate, there's got to
20 be some flexibility as well, but I recognize that we've got to
21 send out signals where people have a reasonable certainty of
22 what's expected out of them, and --

23 So in any event, I just wanted to say that we would be here
24 and be assured that your comments are being analyzed and
25 reported to me every morning. So I just thought I'd mention
26 that.

1 MR. BILLY: David. Stanley.

2 MR. EMERLING: My name is Stanley Emerling. I guess I
3 should validate myself. This is the first time I've spoken. I
4 am a consultant. I had a meat business in northern Ohio which
5 sold to food service, meat and poultry processing for 30 years.
6 I came down to Washington 13 years ago, and ten of those years
7 I have spent at the National Association of Meat Purveyors as
8 its head.

9 Now, I'm a consultant, and Devin Scott who is sitting on my
10 right who succeeded me in that position has asked me to make
11 some comments based on the things we've heard referencing the
12 experience that I've had.

13 And basically, I would like to go back into some of these
14 inspection small business issues that have come up, and there
15 have been things today that are germane to the comments I'd
16 want to make. The drop of water brought up the condensation
17 problem which is a very judgmental thing in many plants as to
18 whether it, you know, the need to control that or not, and
19 we're entering somewhat a no man's land from what FSI4 S calls
20 a command and control and moving into an area of personal
21 judgments, not necessarily knowing whether those who will make
22 those judgments are as well trained, and that issue has been
23 discussed, and then what you do when there's a conflict.

24 I have yet to hear yet today anything about an appeal
25 process. How that will work, whether a plant will be closed
26 down almost instantaneously, and a quick response time -- just

1 a date is a thing many of you will recognize the case sometimes
2 is that it takes more than a day to get a response back in some
3 cases. People are away from their phones, et cetera, and we
4 need to have some kind of assurance that that won't happen.

5 You got into the questions, you know, of validating the
6 plans, not approving them, and we need to know I believe
7 whether there is also a question there what that appeal process
8 will be and how quickly it will be resolved.

9 In small plants and many of our members are -- \$25 million in
10 business, and our industry is a very small business. Even
11 getting up to 50 million can be considered small when you look
12 at the scope of that, but they do make up a great preponderance
13 of the plants that are under FSIS inspection.

14 The thrust of these meetings was really to address some of
15 those small business issues, and a lot of the things that have
16 been said here are certainly also germane to that, but I think
17 you need to focus on how they impact. For instance, you know,
18 we have food safety people. We have quality assurance people
19 that are speaking here and very succinctly and correctly about
20 a lot of the issues.

21 In a small plant, the fellow that runs it usually is buying,
22 selling. He's going back to manage that the trucks got out on
23 time. He's making sure that the product lines are correct.
24 He's supervising almost everything. He has limited resources
25 and few employees, maybe in our case, members of the National
26 Association of Meat Purveyors, the average number of employees

1 is around 40. You have supervisors, but you don't have extra
2 people. In many cases, can't afford it.

3 Now, I don't mean by using that word to mean that we want any
4 kind of exemptions because we are just as dedicated to food
5 safety and doing it right, and we want the people who don't do
6 it right out of business. Now, that isn't even a very
7 successful trend as we've seen it because they keep popping up
8 under new names or something else or with different management,
9 and that's -- that's an issue that needs to be addressed.

10 But we want due process, and sometimes you don't see that.
11 You don't see that happen, and it needs to be incorporated into
12 it, and regardless of what we do, if you don't really go from
13 farm to table -- and as pointed out, you need the technological
14 expertise and the way to do it and the testing, the quick
15 tests, or whatever it is to do these things, but you got to
16 make a dedicated effort because if -- if in our industry, we
17 get rid of 100 percent of the problems you're worrying about,
18 there may still be some problems out there. They've been
19 documented -- people still get sick.

20 So though we have to do everything possible and maybe even
21 beyond, you still need to watch that whole spectrum and put
22 resources. We're concerned that the people you're going to
23 have evaluating us, verifying us, need to have the same kind of
24 expertise that is commensurate with the job that they're doing.
25 In other words, they have to know how to do it. They have to
26 have resources to draw upon, and I haven't seen where you're

1 really having that kind of instant response mode where you can
2 -- where you're going to be able to do that.

3 If they are not as qualified to be in HACCP plans --
4 depending on the risks, a lot of these smaller plants are going
5 to really provide very little risk. They're going to take
6 products, maybe just from a box to a box that has been
7 previously inspected and that the only chance they have to add
8 any risk would be by cross contamination.

9 But if there is a risk, then, of course, the person there who
10 is judging whether the risk has been met has to be sufficiently
11 capable of doing it. The people that aren't maybe could go out
12 into compliance. They could go out and watch and monitor from
13 -- from the farm to the table.

14 I mean, I'm not trying to pinpoint exactly what should be
15 done, but what we're trying to address here is like making a
16 book review of what you're trying to do, and I haven't even
17 seen the book. So, you know -- and the thrust is to give as
18 much information as we can, and it needs to be evenhandedly
19 applied, and if there are people in the system who don't want
20 to do it or are overly aggressive in deciding whether something
21 is right or wrong, that has to be addressed within the system,
22 too. We haven't seen that along the line up to date.

23 At bigger plants, you know, it's much more personal in a
24 small plant. I mean, you really know everything that's going
25 on. I mean, you go down there, and you open the door the first
26 thing in the morning, and you're the last one home, and when I

1 ran my own business, that was one of the reasons after 30 years
2 when I'd been bought out and I'd been working for the company
3 that bought me out, I decided I needed a change of life, was
4 because I was working seven days a week, and in the larger
5 companies, even though there are important people, they have
6 more of them, and that's some of the things.

7 I guess I have other things I've said. I don't want to speak
8 too long. I've been accused of doing that at times, and -- but
9 as it comes along, now that I've documented myself, I'd like
10 other opportunities to respond.

11 MR. SMITH: Well, Stan, you know, I've been in a lot of
12 operations like yours, that you're describing. I think what's
13 going to be important here that we need to teach and train our
14 inspectors and veterinarians and supervisors is to be able to -
15 - and I think we can do this, and many of them are extremely
16 capable in identifying what is a critical health and safety
17 hazard deficiency that's going on. What is direct product
18 contamination, and if we have those situations, we need to be
19 acting -- reacting to those.

20 I think what's important here on the SOPs though and in the
21 HACCP plans is that there is a responsibility that the plant
22 identify those and react to those first, and if they do, and if
23 they do, we're not -- we have no action because the plan is
24 being followed.

25 I know that in the small plant environment, just as you said,
26 one person can't do all them. The -- you know, as we change --

1 I think empowering employees to be able to make decisions and
2 to be able to react to those things would take some of the
3 pressure off that one person, and so I think as we have to get
4 our inspectors to -- to identify and react when we have these
5 direct product and serious situations, that the employee has to
6 be able to react to those also and not rely on one person, and
7 if that one person's not there, then things don't get done.

8 So I think it's going to be a fundamental shift, and I think
9 HACCP would probably drive both of us in that direction. We do
10 realize that the appeal process is very important, and we will
11 be emphasizing that and making that very clear that all plants
12 have the right to appeal. It's in the regulations now.
13 Nothing has changed on that, and if you don't get the immediate
14 response at the next level, then go to the next level.

15 We are going to have technical expertise for our inspectors
16 to be able to call at the area office, and from the area, will
17 then be able to get either direct contact if the expertise lies
18 in the region at this point, at least on day one, then those
19 would be the people that would be getting back to them quickly.
20 If not, we'll get it to Washington and get back on those cases.

21 So we do plan to have a rapid response because we know that
22 that is -- that that's going to be important especially in the
23 validation process. If we have a question about a
24 time/temperature critical limit and our people really feel
25 there is a hazard with that even though they have documentation
26 to support that critical limit, then instead of taking the

1 immediate action which I think a lot of people are -- are leery
2 of right now, the action would be to call the area office to
3 get the science and technology because they're the experts that
4 can really make that call unless it's very obvious. I mean, if
5 somebody has 110 degree Fahrenheit cooking temperature for a
6 frankfurter, I don't think we need to go to Washington to
7 determine that's inadequate.

8 On the other hand, if we have a time/temperature with
9 humidity that we're not quite sure about, the action is not to
10 stop the plant. The action is to get the technical expertise
11 involved in order to make that decision, and that is a lot of
12 what we're developing along these lines.

13 So I agree with a lot of what you're saying, but I think the
14 SOP and the HACCP plan itself will force everybody to look at
15 what they're doing and who's empowered to act, especially --
16 especially in the sanitation arena, that if people see direct
17 product contamination, they need to be able to stop and react
18 to that and not wait for the supervisor or go chase somebody
19 down, and say, I think something's happening out there.

20 I think we have to get people in the mode to be empowered to
21 act immediately to handle that, and if that's going on, then
22 that removes what the inspector has to do because the pro --
23 the program is being followed. So I think that's some of the -
24 - it's new thinking for all of us.

25 MR. EMERLING: Just another comment. It would seem that
26 there should be some benefits, you know, in the freedom to

1 operate as you go through for someone who has successfully done
2 it, who has been verified that they're doing it, and you know,
3 things like being able to operate at any given hour, 24 hours a
4 day, seven days a week, subject to the oversight, not subject
5 to overtime penalties or payments or whatever it would be.

6 And especially in businesses that are on quick reactions
7 times, they are faced with a lot of instant demands. I know
8 that NAMPA has members who export into the Caribbean and places
9 like that where they can get a call at two in the afternoon and
10 need to get it out that same day. Well, if they're hitting
11 into their overtime problems and they haven't told somebody
12 about them, they have problems, and they can't do it, and if a
13 person wants to operate at some irregular or odd time for
14 circumstances that are peculiar to that operation, your system
15 doesn't allow it, and we hope that you'll take a look at that
16 and do something about it.

17 As a final question just to you because as you mentioned to
18 it about the person being in charge, what happens, what have
19 you decided you're going to do if in a small plant -- because
20 some of the plants I heard here only had four and ten people,
21 and if they haven't a need for a HACCP plan, what are you going
22 to do when that person designated isn't there and an inspector
23 walks in and asks for that person in charge of the HACCP
24 program, and they say he has either been sick or he's on
25 vacation. He's in Washington or whatever the case may be. How
26 are you going to address it?

1 MR. SMITH: Well, again, Stan, I think we've said as far as
2 having that we want to a HACCP trained individual in the plant,
3 I think everybody has to -- everybody cannot rely just on one
4 person. Now, whether that -- you know, and so in the absence
5 as long as somebody is familiar with the plan and can be
6 operating, I mean, I don't think we're going to shut somebody
7 down because for 15 minutes, the HACCP trained individual is
8 not on site.

9 MR. BILLY: I need to -- hold on, now. I need to correct
10 something. The proposal does not propose to require that the
11 HACCP trained person be in the plant. The proposal requires
12 that the HACCP trained person be available. It's up to the
13 plant to decide how that person is available and what time
14 frames. The proposal also specifies certain roles that that
15 person needs to play in the course of the HACCP program, and
16 again, there's flexibility there in terms of how the plant
17 accomplishes that.

18 So I think there's flexibility there in terms of that
19 situation. It's not a requirement that a HACCP trained person
20 be present all the time in a plant.

21 Okay. I'd like to take a break for 15 minutes. Come back at
22 11:15.

23 (Whereupon, a break was taken from 10:53 a.m. until 11:22
24 a.m.)

25 MR. BILLY: I'd like to ask everyone to get seated so we can
26 get started. I'd like some guidance from all of you in terms

1 of where we go from here. I think that the discussions after
2 Mike's brief opening remarks have been very useful. There have
3 been some specific concerns that we focused on, and hopefully,
4 there is some clarification and better understanding, and
5 that's important, and I don't want to diminish that or prevent
6 that from continuing to occur.

7 We do have -- we did propose to talk about what's contained
8 in a very brief way in this backgrounder on the reg reform
9 package, and to talk about this in some -- a little more detail
10 where we think it will provide some further insight in terms of
11 examples of how existing regs are going to change, and existing
12 requirements of various types.

13 I'd like reaction from you right now about whether this is
14 sufficient and you folks get the picture and we can forego
15 that, or it would be worthwhile to take ten minutes and go
16 through this so that for those that haven't had a chance to
17 look at it or they have questions about what's here, we can get
18 those on the table as well.

19 So just give me a little bit of a reaction of what you would
20 prefer to do in terms of continuing the dialogue. I've got
21 about 12 or 13 people that want to speak, presumably on what
22 has been talked about already or this morning or whatever.
23 Should we just continue that process or shift to -- and have a
24 little bit more on the reg reform package? Reactions? Speak
25 up. Tell me what to do.

26 UNIDENTIFIED PERSON: Why don't we continue the dialogue?

1 MR. BILLY: These things shift. Any other -- all right. I
2 think what I'm going to do then is continue the dialogue and
3 work through these people, and then maybe after the lunch
4 break, we can get into that -- that follow on presentation and
5 discussion.

6 So the next person on my list is Jim Hodges.

7 MR. HODGES: Thank you, Mr. Billy. The -- some of the most
8 troublesome conversations yesterday and today I think come from
9 a lack of real understanding about the direction that the
10 agency may be headed. On a philosophical basis, the -- the
11 agency is clearly going in the right direction about how they
12 will approach the HACCP portion of the -- of the proposal.

13 I will qualify that with -- with at least one or two caveats,
14 and that is, philosophically, you're going in the right
15 direction, but I hear the message of inspection in the plants
16 being a modification of traditional inspection and not a
17 fundamental change in the philosophy that's absolutely
18 compatible with a HACCP program. I think that's been discussed
19 by some of the previous speakers, and I'm not going to be
20 redundant about that.

21 The thing that I think confuses at least me and maybe part of
22 the audience is that the proposal has a variety of near-term
23 mandates. It has microbiological testing, and by all accounts,
24 I think most people would agree at least from the industry's
25 point of view that those near-term initiatives and the
26 microbiological testing requirements are a fundamental

1 continuation of our traditional inspection mode.

2 Now, if you're proposing to do those while at the same time
3 you're proposing some fundamental philosophical shifts in the
4 way the agency conducts its business, I think that puts us in a
5 -- a little bit of a position of some -- being like a wish
6 bone. We're pulled on one side and pulled on the other, and
7 we're breaking in the middle, and that's a very, very difficult
8 position for the industry to, you know, to cope with.

9 We hear continually that our problem is not so much that
10 we're going to do a -- what I call a clean up of the regulatory
11 -- of the rules, but we are also -- we would also encourage
12 that that has to include the issues of policies and directives
13 and memos and notices to the field because those in fact are
14 operated just like they are -- just like they are regulations.

15 Those kinds of directives to the field clearly need at least
16 in our opinion to be compatible with HACCP also. In most
17 cases, they today are counterproductive to improvement and
18 counterproductive to the way that we in the industry feel that
19 the system should be going.

20 Examples of that is that when you talk about the layering
21 issue which is the subject of today's meeting, it -- we can
22 clean out all of the policies, directives, notices, and
23 regulations, but unless we fundamentally change the way that
24 the inspector operates in the plant, we still have a layering
25 issue to deal with.

26 We also have a layering issue to deal with in terms -- in

1 terms of saying that we want to -- we want plants to be
2 mandated to have a HACCP program in place which is a
3 preventative system while at the same time saying that we're
4 going to be measured in the ultimate end by some type of
5 performance standard.

6 Now, that again at least in our opinion is an issue of
7 conflict, redundancy, or layering, so you have to try to keep
8 your focus on what -- what we believe is the agency's correct
9 position. It's eloquently articulated by Mr. Taylor, he and
10 Mr. Billy, but we need to -- we need to be -- to have some
11 mechanism to set these issues about the near-term initiatives,
12 microbiological standards, set those apart from what the
13 philosophical bent is of putting HACCP into -- into the system.

14 If we don't do that, we're going to continue to have this
15 confusing discussion about, well, which direction is the agency
16 headed?

17 An example of that. If you look at your top to bottom
18 review, there's a lot of very significant information in them.
19 We talked a little bit about blueprints, and I don't mean to
20 pick on that specifically, but that's just happens to be one
21 example that pops to mind.

22 In the blueprint area, the options that you've laid out in
23 the top to bottom review, many of those options talk about some
24 type of disapproval by -- I mean, that's my word --
25 disapproval, submission to the area offices, evaluated by the
26 IIC, and those kinds of things.

1 Fundamentally a problem. Fundamentally a problems in terms
2 of how you -- of whether -- if you get rid of approvals and put
3 that responsibility clearly on the plant, disapproval is
4 tantamount to having an approval system. So we're only making
5 very small, small changes toward a completely different system
6 when I think you need to basically define that there is --
7 there is large differences that need to take place.

8 You can't -- if the inspector in the plant continue to have -
9 - continues to operate in the mode he has where there -- where
10 at least in my terminology, we have great difficulty separating
11 the significant from the insignificant, then we're going to
12 continue to key on what happens to the plant and what happens
13 with the inspector's actions in the plant, not key on what
14 makes it -- improvements in food safety.

15 MR. TAYLOR: Jim, let me just make a couple of comments. I
16 want to the broad philosophical question of what is layering
17 and are we -- do our proposals embody still layering, but
18 before I do, just a couple of things.

19 One, just that I make this point generally for people who may
20 not yet have read through the 600 pages of top to bottom team
21 reports as Jim has done, but just be very clear that -- that
22 those reports, and this is laid out briefly in the Federal
23 Register announcement, that's the work product of groups of
24 employees who were asked to array options and possibilities and
25 provide analysis to feed into our consideration of a whole lot
26 of issues involving how we do our job.

1 And the options are just that. On the specific issue of
2 blueprints and do we need to have an approval system, again, I
3 touched on that earlier. In the presentation this afternoon,
4 Ralph Stafko will address directly what our current thinking is
5 as embodied in the proposal that we plan to publish very soon.

6 The other just specific point I wanted to mention is that we
7 agree with you that in reviewing existing rules to be
8 compatible with HACCP, we have to go beyond the regulations
9 themselves as codified in the JUST. We do have to look at
10 directives and notices and other policy pronouncements that
11 really are an extension of the current rules in terms of taking
12 the command and control approach.

13 So we -- and again, the notice will be publishing soon
14 describing our review of existing requirements says explicitly
15 that included in this are those other means of delivering --
16 historical means of delivering directives in effect to the
17 industry.

18 You talked about the notion that -- that by requiring or
19 having proposed to require some approach to microbial testing
20 that that in relationship to HACCP constituted layering, and I
21 think -- maybe I misunderstood -- but I heard you -- I think I
22 heard you say that if we're going towards performance
23 standards, why would we, you know, be requiring something like
24 microbial testing as opposed to simply enforcing performance
25 standards.

26 I think that's a very good question. One of the things that

1 I think needs to be on the table in understanding what our
2 proposals are about and in particular understanding our
3 emphasis on performance standards is that we are not proposing
4 and HACCP quite evidently is not about shifting to an absolute
5 and total reliance on end product performance standards as the
6 sole means of ensuring the safety of product.

7 And in fact, HACCP itself stands for the proposition that we
8 also believe as a matter of what our regulatory framework is
9 that we also ought to be addressing and defining in some manner
10 what the industry's responsibility is for implementing
11 appropriate process control. That's what HACCP is about.

12 And the way we've tried to craft this, the role in defining
13 what the industry's responsibilities is with respect to process
14 control is not to mandate what the controls are. It's not
15 command and control with respect to what you do, but it is
16 responsibility for maintaining some appropriate system of
17 process control to meet the prescribed performance standards.

18 One of the issues, and I think this is largely tomorrow's
19 topic, is in defining what's an appropriate approach to process
20 control, what kind of achieves an appropriate standard as far
21 as contemporary, modern, science-based process control, what
22 role does a plant administered microbial testing program play,
23 and we're going to have that conversation tomorrow.

24 But I suppose one could argue that if we're mandating HACCP
25 and establishing performance standards, somehow that's
26 layering. We don't view it that way obviously. We regard

1 HACCP and performance standards to be conceptually very linked
2 because it stands for a regulatory philosophy that says the
3 responsibility of the plant is to maintain process control,
4 science-based process control that is adequate to achieve an
5 acceptable level of food safety performance.

6 That's -- you know, in a nut shell what our philosophy is,
7 and so we view it as an integrated regime, but this -- I mean,
8 just people need to understand that it's not a pure -- in some
9 senses, you could say it's not a pure performance standard
10 approach in terms of end product standards. It is process
11 control plus performance standards.

12 MR. HODGES: I -- just to follow up -- I would contend that
13 your end product standards will be the primary measure by which
14 a plant is judged in the end, based upon past history, and
15 based upon the way that we currently operate unless we make a
16 fundamental mind set shift in the way that we look at the
17 system.

18 That end product testing, by definition, cannot -- cannot --
19 and let's take it out of the realm of just micro testing. I
20 mean, end product testing in itself is a -- is not HACCP. It's
21 not an efficient way to assure safety or quality or any other
22 kinds of things. It is a means by which you can get some
23 indicator or judgment that the process is in control, but it's
24 certainly by no means a -- a system that we should key off.

25 But if it is codified in the regs as a standard, then that's
26 what is -- that becomes the key.

1 MR. TAYLOR: And that's -- I mean, this is the question
2 again that we have to be getting at tomorrow because I think we
3 agree that end product testing is not an end in itself and
4 can't be relied upon solely to ensure safe product, and that's
5 why we all seem to be in pretty broad agreement that HACCP, a
6 process control system that builds prevention into the system,
7 has got to be the central framework and tool for ensuring safe
8 food.

9 On the other hands, what we care about, what the public
10 expects is not -- has to do with the food itself. It does have
11 to do with whether the food is meeting an appropriate standard
12 of safety, not the elegance of the process control system.

13 And so how do you have -- how do you incorporate, you know,
14 in an overall regime that is based on HACCP and plant
15 responsibility for process control, how do you build in some
16 measure of accountability for achieving what in the end is
17 important from a food safety and consumer protection standpoint
18 which is acceptable level of performance with respect to the
19 significant hazards potentially present in end product
20 including harmful bacteria on raw product.

21 So it's -- that's what we're trying to get at is -- is how we
22 have a system that works in an integrated way to achieve some
23 acceptable outcome when it comes to food safety.

24 MR. BILLY: Angie?

25 MS. SIEMENS: Good morning. Angie Siemens from Oscar Mayer.
26 I want to go back to an earlier point which happened quite --

1 quite often.

2 In terms of timing relative to implementation of HACCP and
3 bringing inspectional changes and many of the layering changes
4 that we're talking about, right now, I know in many of our
5 plants as well as I think in small plants, we have products
6 that fit your different categories. Many of them will fit the
7 12-month implementation. Many of them will fit the 18-month
8 implementation.

9 It's difficult in our sense to say that we're going to say
10 half of our plant is going to be implemented into HACCP in 12
11 months and the rest in 18. Are you prepared to bring, if I
12 come to you and say in nine months after the final is
13 available, we would like to go on to this new improved
14 inspection system, get rid of the layering at that point, bring
15 inspectors up to date to allow us to work into a HACCP system,
16 provided the incentives are there for us to do that?

17 MR. TAYLOR: I'll say what comes to my mind. Bill would
18 know the actual answer. We -- I've heard this question before,
19 so I have a thought or two about it. I mean -- and I don't
20 have a concrete answer, but the thoughts are these.

21 I mean, obviously, I mean, it is a very positive thing if
22 companies want to move ahead and begin to implement HACCP and
23 begin to do things that they think will improve the safety of
24 their product, and we want to encourage that.

25 The question you raised gets, however, to a very complex
26 logistical training, where are we in the process set of issues

1 that we to grapple with, and I think we ought to try to -- I
2 mean, there ought to be time in this meeting to really focus on
3 this in a thoughtful way and get some discussion because in
4 order for us to have as a legal matter, for example, gotten out
5 of the command and control mode, we've got to repeal some
6 regulations. You can't do that overnight.

7 In order to inspect in the HACCP mode, we have to have
8 trained inspectors, and that is, you know, is going to have to
9 be done in some orderly careful way and can't -- it's going to
10 be done in a very expeditious way, but it takes a certain
11 amount of time.

12 So how do we mesh? I mean, that's the issue is how do we
13 mesh, you know, our progress in making the changes internally
14 we've got to make to be ready with the plant's ability and
15 willingness to move ahead, and I think we need to talk about
16 that because realistically, you can certainly imagine that, you
17 know, as a practical matter for everybody, some kind of notion
18 of phasing this in makes some sense instead of thinking that on
19 a certain day, we're going to snap our fingers, and we'll be
20 instantly transformed.

21 So I mean, it's a very important question, and as you can
22 tell, I don't know the answer.

23 MS. SIEMENS: I just -- I have one more question or
24 suggestion for after lunch. Because we don't have the
25 regulatory reform proposal yet, I would encourage you to
26 provide us some examples of specific regulations that you plan

1 on changing. It would help us in terms of some of the
2 discussion if you'd be prepared to do that.

3 I seriously want you to consider timing on that because as
4 you are well aware from the discussion yesterday, we have HACCP
5 plans in all of our plants and processes, and if it is
6 advantageous or an incentive to do so, we may request that of
7 you to -- to go in even before the first implementation date on
8 that. So I think you need to seriously consider that.

9 MR. SMITH: Okay. Again, I think that's an important
10 comment that has to be discussed, and it's part of the
11 regulation, and by bringing that up, I think, you know, we are
12 struggling with that. We have 7,000 inspectors out there and
13 5,800 plants across the country, and to develop training
14 packages to get the certain -- it's going to be -- it's quite a
15 task ahead of us.

16 And so that's not a reason not to do it, but we have to
17 balance that with how we are going to bring our people up. So
18 there's not a good answer for that right now, but that's just
19 another opportunity to think about.

20 MS. RICE: I have something I'd like to add just to my
21 specific situation in that because we are a --

22 MR. BILLY: Could you identify yourself?

23 MS. RICE: Sorry. Kim Rice with Jimmy Dean Foods. Because
24 we're a continuous operation, slaughter is 30 months, and
25 ground fresh product is 12, and it doesn't make sense to have
26 half of our continuous process.

1 MR. BILLY: There were quite a few comments about the
2 process categories, and it's clear to us that we need to make
3 some changes in terms of accommodating or dealing with that
4 kind of situation.

5 So that's a good example where there will be some changes in
6 what we -- what we ultimately come out with. Okay. Mike
7 Donnelly.

8 MR. DONOVAN: Donovan.

9 MR. BILLY: Or Donovan. Sorry.

10 MR. DONOVAN: Thank you, Tom. I'm Mike Donovan with the
11 Association of Technical and Supervisory Professionals, and I'm
12 the national representative that is representing most of the
13 people that are trying to lose their jobs here I guess when it
14 comes with the people and the staffs in facilities, equipment,
15 the chemical handbook, all the processing staff officers,
16 processing supervisors out there, circuit supervisors.

17 And I guess one of my concerns is that we're starting to get
18 muddled when I'm reading this information that we're getting in
19 HACCP, and part of the getting rid of layering deals with the
20 economic issues.

21 One of the things of getting rid of equipment approval, for
22 instance. Our people do an admirable job of looking at
23 equipment. There should be some type of self certification of
24 people that are getting new equipment because some of this
25 equipment gets very complex. The materials used in there could
26 end up contaminating a product, and the product could get out

1 long before anybody would know what the problems were with
2 that. So I think that's one issue that needs to be taken care
3 of.

4 The other issue is that we have in the proposal, it says
5 we're going to use the economic issues in PBIS, but I'm reading
6 in all of these other things of getting rid of, you know, the
7 chemical handbook, for instance. Not that there's any
8 unscrupulous people out there, but I know when I was in the
9 field, you would have chemical people walking in and saying
10 there's nothing wrong with this chemical and having people use
11 those particular chemicals, and it would not be in the handbook
12 for one thing, and if it was, it was for inedible only, and the
13 salesman's trying to get him to use it in his processing
14 operations.

15 I have a real concern on that based on a lot of small plants.
16 Where are they going to get the information? And the labeling
17 issues. I'm a full advocate that we end up streamlining these
18 avenues, but to do away with all the PQC programs, for
19 instance, for identifying production controls, I think we need
20 to make sure that there is some other alternative for the
21 economic issues because that is still part of the federal meat
22 inspection act and the poultry products inspection act.

23 But when we're talking about doing away with regulations
24 here, most of those are dealing with the economic issues and
25 not dealing with the health and safety issues that are what
26 HACCP is supposed to be about.

1 I do have one other question for Bill when he was bringing up
2 -- about the CCPs, and I think Katie and Kim over here were in
3 that particular discussion, and the question that they asked is
4 if we had a CCP, would they be forced to do it if it wasn't on
5 their plan? My question is the reverse of that. If they have
6 a CCP, are we going to design PBIS for their particular plant,
7 include that CCP in the PBIS system?

8 MR. SMITH: What we're going to do is if we take a process -
9 - the answer's yes, and the way you do that is -- is through
10 your monitoring activity. It's going to identify that you need
11 to monitor those CCPs for the process. It's not going to get
12 specific as to whether that -- the addition of salt or the --
13 you know, there's going to be the kill step. The inspector is
14 going to have to go in and identify from the plan what those
15 CCPs are that constitute for that process, and then that's what
16 will -- when we assign inspection tasks, to verify the CCP
17 records, it would be all those records associated with those
18 CCPs.

19 So it's completely different than how the inspection system
20 guide is now structured, and it would take in that flexibility
21 because it makes no judgments on what CCPs should or shouldn't
22 be. It's what has been validated for that process in that
23 plant, and then that is what is used to drive what the
24 monitoring is.

25 MR. DONOVAN: Just to follow up. Are we -- is it going to
26 be that some plants then are just going to look at what our

1 CCPs are, for instance, in our PBIS system and just pull out of
2 there, and that's how they're going to set up their program
3 without doing an analysis of their operation?

4 MR. SMITH: No. No. They have to meet the seven principles
5 as defined and proposed in the regulation.

6 MR. BILLY: Okay. Now, I've got a Donnelly. It's a Nancy.

7 MS. DONLEY: I've been called Nancy Donovan though also, so
8 I answer to anything. I'd just like to make a couple comments,
9 pretty -- I guess they're really probably more general than
10 anything else and just kind of speak from the consumer or the
11 public perspective.

12 When I go into the grocery store -- first of all, I should
13 identify myself. Nancy Donley from STOP, safe tables our
14 priority. My local grocery stores advertise USDA inspected
15 meat. They do not advertise USDA inspected paperwork.

16 If -- my point being that organoleptic inspection as we have
17 it today must continue to be a part of the inspection process,
18 that the hands on in the plant looking at the product must
19 continue.

20 From a consumer's viewpoint, if that particular function were
21 to be dropped, I think -- and it were to be known that
22 inspectors were no longer having the ultimate responsibility of
23 working with the system, with the product as it goes through
24 the system, and monitoring for even some of the basic wholesome
25 qualities that it would effect all your industries
26 dramatically.

1 I personally wouldn't be buying any meat or poultry that I
2 did not feel was being inspected, and it was just a paperwork
3 inspection process. Perhaps if that had been in effect, my
4 child might still be alive today because I would not have
5 purchased any meat.

6 In addition to as far as with the inspection is that
7 microbial inspection, as far as I'm just going to -- you know,
8 I know this is going to be up for a future topic, but I would
9 also like to suggest -- we believe that the inspection -- the
10 sampling process itself should be done by the inspector, not by
11 a plant employee, that there needs to be some sort of -- of
12 guidelines as far as when in the day these should be done. I -
13 - we think that one sample for any plant size is not
14 necessarily -- definitely not enough.

15 This would also ease as far as the disparity that small
16 plants feel, that they are -- that they are doing the same as
17 required by the large companies.

18 Speaking of small businesses, I'd like to make a couple
19 comments to what Mr. Emerling said before and that -- a couple
20 of comments and responses here as far as that the small
21 businesses are looking for due process and not exemptions.

22 They've been just -- have been invited to be just as much
23 involved in this process as everybody else here, and there has
24 been no effort as far as I can see to have excluded anybody,
25 any sector, from participating in any of these discussions that
26 you've had, and you've -- I want to commend FSIS for the

1 outreach that you've done.

2 I'm a small businessman. I have my own business, and I have
3 made it my business to attend. I've been to at least four of
4 these now. I personally went to the Kansas City specially
5 called briefing for small businesses. It was an eye-opening
6 experience there for me. I was not voted Miss Congeniality in
7 the room after giving my -- my talk.

8 I can -- and please forgive me if this is redundant to some
9 of you -- but as I've said, we've had ample opportunity to
10 speak so that I'm saying some of the things I've said before.
11 I can empathize and identify with small businesses' concerns.
12 It's very scary to be put in the position of having your
13 livelihood taken away from you, to have it threatened. I've
14 been there.

15 January of 1993, both my husband and myself lost our jobs
16 simultaneously. I worked in the apparel industry, and my
17 husband worked in graphic designs. We worked for companies
18 that refused to or could not keep pace with consumer demand for
19 better quality at lower prices.

20 The industries continued. The companies didn't. My husband
21 and I both felt that we -- frankly, we were scared. I didn't
22 sleep nights. I shook a lot, got very scared, but I always
23 knew that we had the basic tools, capabilities to continue on
24 and to put a roof over our head. It might be a different roof.
25 It might be a small roof, but we knew we could do it, and we
26 did.

1 Six months later, my child was dead. My only child. My
2 point being that the jobs can be replaced, but dead children
3 are dead forever. That is why I'm very, very, very concerned
4 with the attitude that small businesses in particular are
5 taking with this. I heard some very, very strong comments when
6 I was in Kansas City and some very, very, very strong concerns,
7 and once again, I can empathize, but pathogens are not
8 discriminatory when it comes to the bodies that they invade as
9 well as the plants that they invade as well as the animals that
10 they invade.

11 Small plants have got to be put into the same process at the
12 same time that everybody else is, and the small plants need
13 help, and I challenge their own industry to help them out.
14 That's what you've got trade associations for and boards for is
15 to help these people. Help your own.

16 It's just too important of when you have something where the
17 health and livelihood of individuals are at stake, that the
18 costs are just too high to cut anybody any slack in this
19 particular case.

20 And so I'm just saying is that we all need to -- we all need
21 to help each other out in this case, and I'm saying help your
22 little brothers.

23 MR. EMERLING: You noted my remark on that. You know, I
24 have absolute feelings, deep feelings for what happened to you,
25 and I know everybody in this room has the same -- and we would
26 not want it to happen -- or happen to you or to happen to

1 anybody else, but in just addressing beyond that to the
2 comments that I made, I did not -- I said we did not want
3 exemptions from this process.

4 We support the process 100 percent, absolutely, right to the
5 end. What I said about due process was that we ask that there
6 be a system in place that where the people who aren't
7 performing, aren't doing, aren't living up to what you want us
8 to do, and we want to do what you want to do -- want us to do,
9 that they be directed out of the business, closed down, shut
10 down, but all I want to make sure is that they have due
11 process, that at least that it is not capricious and some kind
12 of personal kind of situation that would cause that to happen,
13 and that's what I meant in addressing that.

14 With respect to what small business is trying to do to help
15 their people and the trade associations are, they've banded
16 together into a HACCP alliance in order to try to teach people
17 how to do that, and though I probably am not the right person
18 to explain to you what that is and there are others here in the
19 room that could including Devin Scott and Steve Krut, they
20 could explain more, but they are -- have been working on this
21 and trying to go forward, trying to make sure --

22 It's a little difficult because we don't really know all the
23 things we need to address yet, but I can assure you from my
24 viewpoint and from what I know they've told to me that they are
25 on it 100 percent, and they're going to give it, not 100
26 percent, but whatever percentage infinitely it needs to make it

1 work, and I hope you -- we -- we are -- we know. We're
2 consumers ourselves. We're just as concerned as you are, and I
3 just hope that you understand how we're approaching it and what
4 my -- what my thoughts were.

5 MS. DONLEY: It sounds then as if the -- this additional
6 time needed for small businesses or the 36 month implementation
7 program for small businesses is then -- it sounds like not
8 necessary because the industry is taking care of that, and is
9 it a case where industry is ready to say, hey, we can't all
10 implement this at the same time table. There is no need for --
11 because of the HACCP alliance, there is no need to stretch it
12 out for small businesses?

13 MR. EMERLING: Well, you know, I will let someone really go
14 more into that, but I -- I think what you need to understand --
15 it -- because even FSIS doesn't know what it is going to do
16 yet, can't even guarantee to them over here that they can
17 implement it together because they're not sure they even have
18 it all together, that what that time -- time frame would be,
19 and for smaller plants that don't have the depth and -- and
20 though they may have some sophistication, may not have the --
21 total resources to draw upon would probably would prefer to be
22 piloting to make sure they are guaranteeing that they are
23 sufficiently doing the job to assure the safety.

24 So there's a -- there's a time phase that was asked by small
25 business in order to make sure they do the job right, not to
26 delay or to postpone. Now, maybe Jim can answer more or

1 someone else, whoever you want to address the rest of what
2 Nancy is asking.

3 MR. HANKES: As a -- Jim Hankes and I do represent the --
4 Association of Meat Processors, and my wife and I own a small
5 meat processing plant. One of our big concerns is just, Nancy,
6 is the communications. You know, a lot of the small plants do
7 belong to our association. They belong to our national
8 association, our American Association of Meat Processors, and
9 we get as much information as we can through our associations,
10 but let's face it, where we're at today, things move slow
11 unfortunately.

12 The bureaucracy -- you know, it takes a long time to get this
13 information. It seems like it takes longer to get it out into
14 the countryside, into the small towns, the small communities,
15 than it obviously does in with the large companies.

16 We do not have the staff of attorneys. We do not have the
17 full-time quality assurance people or people, you know, in
18 those positions because we're doing those jobs. In multiple
19 cases, we're doing them ourselves, and so I think the extended
20 time given to small business probably was looked at as a need
21 to get this information and -- out into the countryside, out to
22 these plants.

23 In our particular case, we're a state inspected plant. Now,
24 we've got another level to go through, and this hasn't been
25 discussed or anything, but we'd have to see what FSIS -- you
26 know, they have to relay this information to the state programs

1 across the country, and then the state programs will have to
2 get it to the plants that are state inspected.

3 There's another step, and the majority of us state inspected
4 plants, our -- we are small businesses, and I know Stan was
5 talking about numbers of small business being two and a half
6 million dollars, we sell less than a million dollars worth of
7 inspected meat products, and I know there's small mom and pop
8 shops out there that may sell a quarter million dollars, you
9 know, in this type of thing.

10 We want to do whatever we can to produce safe products, and I
11 -- now is maybe not the time, but there's not too many business
12 people here because I know in our particular case, I'm
13 reporting back to them Sunday at our meeting, what went on this
14 week and where do we go from here.

15 My plant, we slaughter. We process. We make about 100
16 different multi-ingredient products. We do catering. We have
17 a retail store where we sell fresh frozen foods along with our
18 smoked products. We're so diverse, it would blow many of the
19 big companies away. I mean, we do a lot of things.

20 That does in a way make it more complicated. It makes it
21 more difficult for us to manage, and this is why personally I'm
22 here on a fact-finding mission to figure out how we can
23 survive, you know, a competitive world. We may have to make 20
24 products instead of a 100 when this is all said and done. We
25 may have to eliminate and rely on some of the larger companies
26 for more of our products.

1 Whatever it takes, there is a group of us that are
2 competitive and will be in business 10, 20, 30 years from now
3 regardless, but we had -- in the whole stage of the process,
4 there are some small companies out there that have been there
5 for generations, and it's difficult at times for these people
6 to change, and we want to get the information to these people
7 the best we can, you know, between the government and our
8 associations, and go from there and see what we can do to
9 produce a safer product.

10 MR. BILLY: Steve, you're next, and maybe this is very
11 germane to your area of interest.

12 MR. KRUT: A few hot spots I guess. You know, a few years
13 back, there was an article that circulated around the country
14 about how many regulations affected the production of a
15 hamburger, and maybe it was a dozen years ago, and at that
16 time, they identified over 38,000 individual regulations,
17 federal regulations, state regulations affecting the production
18 of one hamburger.

19 When I hear the discussion about layering and removing some
20 things, I understand, you know, we've got so many things on the
21 books, we don't even know how many books we've got any more,
22 and I can appreciate when I hear Nancy say, I want to go in and
23 buy inspected meat. I don't want to see that they did a lot of
24 paperwork.

25 I think what we're heading for is a -- not necessarily a
26 Simon-pure HACCP system. I think if you heard some of the

1 folks from the inspection ranks yesterday, there's a tremendous
2 amount of mistrust -- on the part of a lot of the plant
3 operators. There's an awful lot of mistrust on the part of
4 inspection people as well that we've got a system that is
5 abusive, that we've got people with swollen badges that don't
6 know how to act. They're not human.

7 What we're looking at, and this is in trying to put it in a
8 real world scenario, we have a system that now is so
9 specification oriented that it is -- no one could actually keep
10 up with it, but we have conflicts and challenges about what is
11 correct and what is incorrect procedure in a plant about
12 specifications, and then, again, as Michael Taylor indicated
13 earlier, we've got all these policy memos and other directives
14 that were never really formally promulgated. They just happen.

15 We've got inspectors in the field who have 20 and 30 years
16 experience who have enforced this and said, well, we understand
17 why we're doing it. We have people that say we need blueprints
18 approved, and we say now, well, we may not have the approval.

19 Somebody has got to sign off somewhere, and this is what's
20 fearful about the system, and so when we're starting to talk
21 about what we're going to remove in extraneous regulations and
22 rules, realize they're going to be -- this is going to be a
23 partial step, that we're going to develop some HACCP, and we're
24 going to develop some quasi presence in inspection as well.

25 Things like the carcass by carcass slaughter inspection, I
26 think we're going to continue to see that, and we hope we do,

1 but there are going to be areas where we're all going to make
2 adjustments. In the continuous inspection in processing, that
3 is absolutely unnecessary in some situations. In some other
4 cases, it's absolutely essential that it be continuous.

5 I think we've got to look at the present system and what
6 frustrates everybody about it, so that when we're starting to
7 look at how we want to phase in HACCP. Whether it comes about
8 fully in a few years or many, many years down the road, we make
9 that transformation will not happen until that mistrust is
10 removed.

11 It's just a matter of fact, and I think we've got to look at
12 what we need to do to remedy the problem in the present system,
13 and I'm suggesting here that we do have an issue that's not
14 even on the table under layering, and that's the appeals
15 process that's always alluded to when there's a conflict.

16 You're appealing items dealing with specifications, and when
17 you're talking about HACCP type appeals, you're talking about
18 performance standards, exercise of common sense sometimes.

19 I can see without a device or mechanism or a process that is
20 a workable appeal process, the situation will just get much,
21 much worse in terms of conflict between the inspector, between
22 the plant operator of who do you appeal it to and, you know,
23 the present system just backs up its own, and follow this
24 procedure, and you open yourself for intimidation.

25 The inspector will look back at the other side of the coin
26 and say, well, we've got people that if we're not there,

1 they're going to play games. I don't have an answer, but I'm
2 saying that as we move down this road toward implementing
3 HACCP, it's not going to happen overnight. It won't happen --
4 and as Stan and Jim said, small plants are not looking for
5 exemption from the safety to move toward HACCP. We want to be
6 in a preventative mode as well.

7 But in -- in true light, we're going to see the need for more
8 pilot projects in the small plant area, and I want to emphasize
9 this. I was going to mention it later in the day, but there
10 are processes where HACCP has been absolutely demonstrated to
11 work just about flawlessly.

12 In a small plant environment, with multiple activities
13 including slaughtering, processing, wholesaling, retailing,
14 multiple products, limited amounts of those products, seasonal
15 items, we have never actually tested this process, and one of
16 the things we asked for at the scoping session was let us look
17 at the results of the six pilot plant studies.

18 Only one of those was done in a true small plant environment,
19 and with that in mind, USDA did the training, wrote the HACCP
20 plan, did the verification, and essentially monitored the
21 paperwork, and we came up with a cost \$27,000 for one product
22 in one plant that makes over 100 different products and has
23 four or five different major processes including catering and
24 slaughtering any everything else.

25 And I think before we make a wholesale switch to that system,
26 we support HACCP strongly, but we need to make sure we

1 understand the cost and we understand the benefit to be
2 received from it, and that's all small plants are saying. In
3 that particular environment, let's make sure before we jump
4 from one stone into the next stone in the creek, we're sure
5 that stone's in place.

6 MR. BILLY: The -- we do plan to talk about that specific
7 idea of pilots, focus on small plants this afternoon, so I
8 think you'll support the ideas that we have on that.

9 I'd like to -- Joe?

10 MR. MAAS: I guess you're done pushing all your buttons on
11 that? My name's Joe Maas. I have a small meat plant in
12 Cincinnati, Ohio. My brothers and I have a small meat plant in
13 Cincinnati, Ohio. I -- I have a couple of points, and I'll try
14 and move through them quickly.

15 The first I'm responding to something Mike Taylor was talking
16 about earlier regarding -- he asked, you know, how does
17 everybody feel? Would you like to have prior approval or not
18 to have prior approval? I'm going to be as careful as I can to
19 not say that I'm representing small businesses in general
20 although I associate personally with a lot of small business
21 and talk with them frequently. I'm not -- I belong to several
22 small business organizations, but I'm not in the hierarchy or
23 framework of such.

24 I guess someone somewhere decided that HACCP is a quality
25 control plan that's better than the one that I have, so I'm
26 assuming that, you know, we decided that that's a fact, so then

1 the USDA, it would appear, is going to force me to have HACCP
2 in my plant.

3 And so I've accepted everything else that USDA has -- has
4 reined down upon me, and I've followed all this. I can only
5 say that if you're going to do that, for myself personally, I
6 would prefer that it would have prior approval, that the plan
7 that I have would have in fact prior approval, that somebody
8 said, yes, Joe, this is -- this is what we want you to have,
9 and this will work.

10 Rather than, for myself, you know, putting together a plan --
11 you know, in my plant, I will be the person to train for the
12 HACCP, so I'll put the plan together, and I'll assure you I'll
13 do absolutely as good a job as anybody can do, but in any case,
14 it's still at the discretion of the inspector as to whether
15 it's good or bad, and I think maybe I have a little problem
16 with that because I run into situations which then goes into
17 the next subject with regards to some prior approvals of other
18 things.

19 I run into situations where I have used the equipment branch
20 and so forth here in Washington, you know, to get an inspector
21 to lay off me because, you know, they -- they're looking at a
22 piece of equipment as though there's a problem with it. I
23 don't have a problem with it. The products that I see appear
24 not to have any problems. I personally do occasional micro
25 tests on my finished products. We do daily organoleptic tests
26 on all my products.

1 So you know, there doesn't seem to be a problem, but the
2 inspector has a problem with this piece of equipment. As it
3 was mentioned earlier, you know, a lot of the equipment that's
4 out there is very complex, and to leave it up to the local
5 inspector who probably isn't trained to understand bearings,
6 seals, and so forth, I have a bit of a concern with that.

7 Thanks very much. I'll just leave it at that.

8 MS. RICE: Can I just get something cleared? Kim Rice with
9 Jimmy Dean Foods. This happened all day yesterday, too, where
10 HACCP and quality kept getting shoved together, and I think it
11 needs to be clarified that HACCP is not a quality assurance
12 program. It's a food safety program, and others that have more
13 experience with it can speak up at any point and tell me I'm
14 wrong, but I think that needs to be clarified and separated out
15 so that when we do have discussions about HACCP, we're talking
16 about food safety programs, not quality programs.

17 MR. BILLY: We agree with that as well. To this point --
18 okay. Steve Donovan. Or Mike Donovan. Excuse me. Sorry.

19 MR. DONOVAN: All I was saying when I brought that issue was
20 because of the papers seemed to start getting the quality
21 issues mixed in with the safety issues, and that's what I was
22 getting concerned about. It's the writings and everything else
23 that has been coming out here was including both the quality
24 issues and health safety, and that's what I was trying to bring
25 out, too.

26 MR. BILLY: Dave.

1 MR. CARNEY: Dave Carney, National Joint Council. All of
2 this talk inspection and everything brings to light a trip that
3 you and I took last fall, Tom. Remember, I invited you to
4 accompany me on some plant visits so we'd get you away from
5 that fish mentality?

6 Well, we visited plants in three states, and we found that a
7 lot of those plants already had self-imposed HACCP, and it
8 ranged from excellent HACCP programs to programs that weren't
9 worth the paper they were written on, and that's when we were
10 really getting to the mega reg and the infancy of this, and I
11 made a recommendation to you is that we take a sample from
12 small, medium, and large plants, incorporate the plant profile
13 and the PBIS that's there in with the existing HACCP program so
14 that the inspector in that plant could get a feel for what
15 HACCP will ultimately be as a reality.

16 Evidently, that recommendation made some sense because I
17 never did hear anything back on it.

18 MR. BILLY: I think you're going to hear more about that
19 kind of idea and how it fits into our scheme as we look forward
20 later in the discussion, so it hasn't fallen into a hole. It's
21 part of it.

22 MR. CARNEY: Now, don't forget the recommendation was for
23 the purpose to save thousands of dollars, so we won't have to
24 pilot test something all over again. I mean, the resources are
25 already there.

26 MR. BILLY: Irwin. Hold on a second. Tom, is it on this

1 particular -- all right. I'll get you on the list.

2 MR. MUSKAT: Irwin Muskat, Jackback Foods. First, Nancy,
3 I'd like to address something that you touched upon, and that
4 was HACCP verification, and I gathered you alluded to the
5 opinion that HACCP was verification was basically a check of
6 paperwork.

7 Proper HACCP verification is far from that. If you're going
8 to verify a HACCP program, what you basically have to do is
9 take the paperwork, yes, but you have to go into the operation
10 and verify the process that -- you are actually making an audit
11 of the same -- in the same depth and of the same style that
12 you're -- plant personnel are doing.

13 You're making sure that the process itself is in control by
14 taking tests. If it means bacterial tests, then bacterial
15 tests. If it means that you're checking on the hygiene of the
16 employees or you're checking on good manufacturing practices,
17 you're actually going out into the floor, and you're finding
18 out, are they doing what they say they're doing. That's the
19 check.

20 So, yes, we are sampling product. Yes, we are looking at
21 product. Yes, we are testing product, and you can't do an
22 audit unless you're going to do that. You do have to check the
23 paperwork. that --

24 MS. DONLEY: Can I respond to that?

25 MR. MUSKAT: Sure.

26 MS. DONLEY: I agree with you a hundred percent, and that

1 was not -- that's not my point. My concern is just that it
2 gets -- that -- my point was that we cannot drop the
3 organoleptic inspection and rely strictly on the HACCP -- the
4 HACCP proposal, that -- that both need -- organoleptic
5 inspection needs to be a part of the entire inspection process.

6 Let me make it very, very clear. I'm very much in support of
7 HACCP, very much in support of it. So, no, my point was just
8 that we cannot drop the organoleptic inspection portion.

9 MR. MUSKAT: I understand where you're coming from, and I'll
10 just make one more comment on organoleptic inspection. We have
11 organoleptic inspection now, and I'm sorry, but you can't see
12 bacteria. You have to test for bacteria. If you want to find
13 out whether the food that you're serving and the food that
14 you're selling is safe, you are not going to accomplish that in
15 the manner that you want to get it accomplished with
16 organoleptic testing or organoleptic inspection.

17 However, if somebody obviously sees something that's
18 drastically wrong, organo -- by visual observation, naturally,
19 you want to do something about it.

20 I'd also like to address a comment that you made, Mr. Taylor,
21 and it was regarding HACCP and end item examination or end item
22 inspection, and I'm not sure I got the right impression from
23 what you said, but HACCP by its very nature in my opinion is
24 almost mutually -- is almost mutually exclusive to end item
25 acceptance.

26 And if you're talking about end item acceptance versus end

1 item verification of your process, the two don't live together,
2 and I think that's the crux of the issue that many of us have
3 with your approach or your department's approach or what we
4 hear of your department's approach to HACCP.

5 If we're going to enforce end item examination and end item
6 specifications and end item regulations, and they are going to
7 start overriding the HACCP program, then you're going to defeat
8 the HACCP program. The HACCP program by its nature is to reach
9 a goal of food safety, but it reaches it through process
10 control rather than end item acceptance.

11 I have one -- if you want to -- go ahead.

12 MR. TAYLOR: Again, I -- the observation I made which I
13 think is very important and I think is consistent with what
14 you're saying is that you can't achieve your food safety
15 objective relying solely on end product testing to find
16 problems, and that's why HACCP is the concept people seem to
17 agree is necessary because it's designing preventive controls
18 into the system to achieve the goal.

19 If this thing stops humming, I'll finish my observation or
20 should I just keep going?

21 Again, tomorrow we're going to debate the issue of what role
22 performance standards with respect to harmful bacteria might
23 play in providing a measure of accountability for controlling
24 and reducing harmful bacteria, and we proposed an approach that
25 envisions finished product testing as a way of verifying
26 whether over a period of time a process is being controlled

1 adequately to achieve some identified target for pathogen
2 reduction which is different from what I think you were
3 referring to as a -- I've lost the term you used. Product
4 acceptance.

5 We didn't propose this testing as a means for deciding
6 whether any particular lot was acceptable, but rather whether a
7 process was being controlled adequately over time to achieve a
8 target, and that's sort of concept is again what is in the
9 proposal and presumably will be the focus of discussion
10 tomorrow.

11 I guess I want to quibble with you on one point though which
12 is that -- and let me put this in the form of question to you.
13 I mean, HACCP addresses more than harmful bacteria. HACCP will
14 address chemical residues, for example, and I suppose it's
15 possible that a company might incorporate as part of their
16 verification scheme some finished product testing on some
17 basis, not presumably by lot, but might upon time to time
18 choose to test finished product.

19 I know some companies do that for compliance with pesticide
20 tolerances and food additive tolerances, and so forth. I mean,
21 if you conduct that sort of testing in your plant and find a
22 particular lot of product that contains a pesticide residue
23 above a tolerance, in that particular case, would you regard
24 that as a lot of product that needs to be disposed of in some
25 what that complies with that tolerance?

26 MR. MUSKAT: I can't envision that we would have an end item

1 examination that would show something unfit for human
2 consumption in any manner that would go out our door. I can't
3 envision that we would even get to the point that we have a
4 product that is out of specification that does not -- and
5 specification, not meaning necessarily harmful to human health,
6 but even at a much lower state than that. Something that's out
7 of specification for our customers even for whatever their
8 specifications might be for food safety, that would go to that
9 customer.

10 It would be some other option as long as it was not a food
11 health safety issue.

12 MR. LOCHNER: Can I ask a -- this is Jim Lochner. When you
13 bring up your example of chemical residue, and I've struggled
14 with this in my HACCP programs for fresh meat, if you look at
15 the data from the national residue monitoring program, you
16 would say that there's not sufficient violation basis surveys
17 to sample, yet I haven't figured out a way other than sampling
18 to verify that it's not there.

19 So it's kind of a dilemma. You can notify livestock
20 producers, and they can -- you can have a notification, but
21 there's no way around this. My opinion is that the national
22 residue monitoring program suggests that chemical residues are
23 not a sufficient problem, but I'd like some feedback from the
24 agency on that.

25 MR. MUSKAT: Can I finish what I was going to say before we
26 go into that? I had three points. Let me finish my third

1 point.

2 I think if we want to clarify HACCP, people should understand
3 that almost everybody in this room is already employing HACCP
4 as a program, and everybody's allegations that HACCP is not a
5 quality program is not really true. HACCP has nothing to do --
6 the principles of HACCP do not necessarily -- are not
7 necessarily relegated to food safety. We all employ HACCP
8 programs in our day-to-day lives, in our operations for quality
9 of product. We have a dual HACCP program. One is quality, and
10 one is safety. Hazards do not by themselves as a dictionary
11 definition have anything to do with pathogens or food safety or
12 health safety. Hazards can be an economic hazard just as well
13 as it can be a health hazard, and therefore, the programs that
14 almost every meat packer in the United States or meat processor
15 in the United States currently employ employs a HACCP type
16 concept in that they're checking their raw material coming in
17 the door to make sure it meets the specifications for what they
18 paid for, and then they're checking it further down stream to
19 make sure that they have yields and cost analysis and all of
20 those steps.

21 They are taking their critical control points, and they are
22 measuring hazards, economic hazards at those critical control
23 points to make certain that their program and their cost
24 controls are in fact working, and therefore, you can have a
25 quality program under a HACCP program.

26 I'm not -- I don't want to confuse the issue here. I know

1 we're here for food safety, but if you make it simplistic
2 enough so that some of the smaller packers who have difficulty
3 with this process and this program understand that they're
4 probably in a HACCP program now and don't even realize it, it
5 could be made a lot simpler.

6 MR. BILLY: -- we're going to come back to that.

7 MR. TAYLOR: Irwin, I think I understand your point that the
8 kind of the concepts that are embodied in HACCP can be applied
9 to building preventive measures into systems to deal with
10 other, you know, non-safety concerns as well and that many
11 plants are already doing that.

12 I mean, as a regulatory matter, what we proposed was that
13 companies operate HACCP plans to deal with food safety hazards,
14 and I think barring some radical turn in the comments we've
15 received so far, I mean, we intend to keep it that way.

16 I guess Jim asked a specific question about the current
17 residue monitoring program, what that says about the state of
18 play and our level of assurance, and I'll ask Pat to make a
19 comment.

20 MS. STOLFA: Pat Stolfa, FSIS. Jim, I think you put an
21 important issue on the table. We have begun a process of
22 applying quantitative risk assessment principles to our residue
23 data of the past several years to determine whether or not the
24 monitoring programs as we have maintained them for quite a few
25 years now should we continue in that form, or whether we ought
26 to change the form of what we do in the residue area.

1 And I think that in terms of -- we have been thinking, too,
2 about the issue of how verification might be accomplished by
3 plants. If -- if slaughtering plants were to take on some
4 responsibility for part of the assuring that their products did
5 not contain chemical residues, and I think that in addition to
6 testing, it occurs to us that -- that certain producer groups
7 have in fact come forward with residue avoidance kinds of
8 programs that -- that -- that producers might undertake, and
9 another type of verification other than testing that slaughter
10 plants might be able to do would be to go out and see if in
11 fact the residue avoidance program was in fact being followed
12 as it was described in -- in some of these programs which we
13 think are quite detailed and have some history of providing
14 assurance.

15 So that's one other approach that we thought of.

16 MR. BILLY: Dane.

17 MR. BERNARD: Dane Bernard, National Food Processors
18 Association. Did I interrupt -- okay. I'm never at a loss for
19 things to talk about as usual.

20 On the last topic, the residue program, I think the pleasure
21 we had in conducting a HACCP workshop at Texas A&M with a
22 number of FSIS personnel involved, that was one of the topics
23 of the day. The hazard analysis which is principle one if you
24 go through that and you're a buyer of animals, and the residue
25 data says there isn't any problem, any significant problem,
26 then by having gone through the analysis which is what HACCP

1 does for you, it says in my HACCP plan in my operation that's
2 not a significant risk factor.

3 It's not because the residue program has been effective.
4 With the agency, as you properly said, has to do is decide
5 whether the residue program continues as is or gets modified,
6 but as a buyer of animals to turn into food, the data tells me
7 that that's not a significant risk factor, and you've gone
8 through the hazard analysis step to get to that.

9 Let me back up to where we started which is layering on and a
10 comment that I made earlier and something else that Jim did
11 that just set up a comment perfectly that I want to reinforce.

12 When he called everybody's attention, I think everybody
13 looked up to this little area up here, and he commented that we
14 ought to cover the water pitchers as you might have to do in a
15 plant with that kind of a problem. It brings exactly to the
16 point of what we want to effect change-wise in the plants.

17 To reenforce HACCP, the actions of the inspectors must convey
18 a clear, simple message to the people in the plant that
19 critical control points are in fact critical control points,
20 and that how we react to deviations from critical control
21 points is going to be definitely different than how we react to
22 other things which may not be so important.

23 That does not mean we don't react to peeling paint or rust.
24 What that says is the way we react to those situations must be
25 distinctly different than the way we react to deviations at
26 critical control points. Otherwise, the message gets diluted,

1 and that's what we have now.

2 If we, for example, made the penalty for every crime in
3 society a mandatory five-year jail sentence, we'd probably have
4 less jay-walking, but it wouldn't provide the proper incentive
5 not to go out and commit serious crimes.

6 The same -- that philosophy must be carried over into the
7 actions that we impose on plants such that when we say we're
8 going to do HACCP and we set a critical control point, the way
9 we react to that in terms of the inspection process has got to
10 be different and distinct from everything else, and that has
11 got to be a very clear message.

12 Also in terms of layering, as we go through everything else
13 that the agency does, it should go under a microscope for a
14 number of reasons. Language. When we say critical control
15 point, that must relate specifically to what's going to come
16 out of a hazard analysis and be in a HACCP plan. There can be
17 no other critical control points. We'll call them something
18 else, but you've got to -- you got to get the language clean.

19 When we talk about hazards, the dictionary may define hazard
20 very broadly, but the national advisory committee and by
21 adopting their position, both FDA and USDA have defined hazard
22 very clearly in terms of HACCP as a safety oriented definition.
23 May cause a product to be unsafe for consumption. That's the
24 definition we've got to stick with. So as you go through
25 these reviews, take a look not only at the activity but the
26 language and the reaction to discrepancies from a program in

1 terms of reinforcement of HACCP. Thank you.

2 MR. BILLY: Caroline, you have the last word.

3 MS. SMITH DEWAAL: Hi. Unlike those who it took two hours
4 to get to the microphone yesterday, and they forgot their
5 question, I just add on to the list. I -- I have about three
6 things, and I will try to be very brief.

7 The first thing, I think that we've heard a lot from industry
8 this morning on the issue of layering, and that's appropriate
9 because it's an industry issue. We are at the beginning of a
10 process of fundamental change here, and we -- consumer groups
11 don't oppose the elimination of duplicative or unnecessary
12 regulations, but don't strip away existing food safety
13 protections until we know that the HACCP system is going to
14 work to offer the same protection.

15 And I -- we really need to -- I've heard a couple of
16 references this morning from Kim Rice and Jim Hodges to what
17 are you going to do about layering? Well, layering is
18 appropriate. Layering is protective. Layering offers the
19 consumer the assurance that during this transition time, there
20 will be appropriate food safety protections in place all the
21 way along, and if -- I will -- I will oppose the administration
22 any effort they make to start stripping away those needed food
23 safety protections before we really do have proof that HACCP
24 works.

25 My second point is on the validation issue. When Bill Smith
26 talked about validation, it sounds a lot like a check list, and

1 I know the acting undersecretary said it's not a check list,
2 but I keep going back to this -- this problem that it sounds
3 different when different people in the agency talk about it.

4 I don't believe that what you are talking about in terms of
5 that day one going in and making sure they've -- they've done
6 everything they're supposed to. That's not validation. That -
7 - if you don't want to call it approval because the industry
8 doesn't like that, don't call it approval, but don't call it
9 validation because validation in my mind and I've checked with
10 a few other HACCP -- well, not other. I've checked with some
11 real HACCP experts. Validation is a different process, and I
12 think the agency needs to come up with another word for that
13 because that's my stumbling block.

14 Words here are important, and validation means to me that you
15 have -- that you have identified all the hazards and all the
16 appropriate critical control points and that you have verified
17 them in some way using hopefully microbial testing or some
18 other testing that will assure that the process actually works
19 as it's designed.

20 Validation is not going in and making sure that they've got
21 something on a check list that complies with everything in the
22 rule because that -- they may have -- they may have done all
23 that, and it still may not work.

24 The last question, and this is a question I have -- I don't -
25 - actually, just my final point on that to Bill Smith is that -
26 - don't say that -- or I guess I really have a problem with you

1 saying that, well, once you've gone in and done that initial
2 quote, unquote, validation, then you will remove the underlying
3 food safety functions because if that's all you're doing, I am
4 not confident that that system -- I'm not confident that that
5 HACCP system that you have supposedly validated works.

6 So you need a better system in place before you start
7 stripping away the underlying food safety protection.

8 My last question -- actually, I think it was raised by an
9 example Jim Lochner had about rust on the ceiling. The -- my
10 question to you, Bill, if you have rust on the ceiling but it's
11 not identified as a critical control point, but nonetheless,
12 that rust, a big piece of that rust falls into your product,
13 it's not a violation of your HACCP plan. What happens? Is the
14 -- is the inspector empowered or is the inspector going to act
15 to remove that product from the marketplace?

16 MR. SMITH: Okay. Well, that -- that last one's very easy
17 because it's a failure of the sanitation operation procedure,
18 the SOP, because that's direct product contamination as you
19 described.

20 Inspectors will be allowed and be empowered to write those
21 situations up and bring those to the attention of plant
22 management, and we're -- they're going to do that.

23 MR. BILLY: Bill, you need to be more clear I think. Those
24 situations, you mean rust on the ceiling or rust --

25 MR. SMITH: In a situation where it fell into the product is
26 a direct product contamination situation. The sanitation

1 operating -- the SOP must address that, and we expect that the
2 plant in a direct product contamination situation would react,
3 and if they don't, then we will use our full authorities of
4 official control action, retention, or rejection, and as I said
5 yesterday, that repeated direct product contamination
6 situations will be the basis for which we will go to suspension
7 and start administrative case file and bring compliance in.

8 That's very different than what we're doing today. So -- so
9 we take that extremely serious. The same thing, the
10 enforcement as proposed in the HACCP plan right now is failure
11 at that point and suspension.

12 Now, if it's just up there, and it's not falling in the
13 product, it is still going to be documented and given to the
14 plant. It's just as we said -- as Dane brought up, it does not
15 have the same seriousness at that point in time. It's not that
16 it can be ignored. It's not that it can just -- that we're
17 going to walk away.

18 It is that it will be identified, and we want people to react
19 to that.

20 MS. SMITH DEWAAL: Let me just make sure I'm hearing you,
21 that what I'm hearing you say is what you're actually saying.
22 Are you saying that even compliance -- if a company complies
23 100 percent with their HACCP plan, but they're still producing
24 -- for some reason, something happens, and they're producing a
25 hazardous product, FSIS can get in there and act to get that
26 hazardous product from reaching the market.

1 MR. SMITH: Absolutely.

2 MS. SMITH DEWAAL: Okay. So --

3 MR. SMITH: Absolutely.

4 MS. SMITH DEWAAL: -- compliance with the HACCP plan alone
5 isn't going to prevent companies or protect companies from
6 having something else happen that would --

7 MR. SMITH: Right.

8 MS. SMITH DEWAAL: Okay.

9 MR. SMITH: We will react to any direct product
10 contamination, adulteration instance that we come across, and
11 we will take all our authorities and use that. I don't -- you
12 have a valid comment about validation, the wording, and we
13 would need to go back and look at that, but the activity, the
14 activity is designed to ensure that hazard analysis has been
15 done, and that -- in any process of hazard analysis, that is a
16 full look at what chemical, physical, or microbiological
17 hazards you could have, that the critical control point is
18 established to control those, that a critical limit then will
19 assure that they are controlled at that point, and if there's
20 monitoring activity and records kept.

21 That is not a check list operation. That is an in-depth
22 analysis by the plants to go through those steps, and it -- and
23 when the inspector would go to do whatever we want to call that
24 activity, that that is more than just a check list that I have
25 this, this, and this. That is that hazards have been
26 identified. The critical control points have been identified

1 to control those hazards.

2 What we're not going to do is have the inspector say this is
3 the critical control point to control that hazard. It would be
4 the reverse is the hazard has been identified, and what
5 critical control point is in this program controlling that
6 hazard, but that is not any obligation of our responsibilities.

7 So cooking temperatures, right now we have 145 degrees
8 instantaneous and -- in several time/temperature combinations
9 for roast beef. However, if somebody comes in with an
10 alternative to that time/temperature but has the science to
11 establish, and that's what we'd want the inspector to look for,
12 that that is on record for that critical limit, that some kind
13 of scientific backing is there, is evidence that that is a
14 valid critical limit.

15 MS. SMITH DEWAAL: -- make very sure that that scientific
16 backing includes microbial sampling for the hazards that you're
17 controlling for.

18 MR. BILLY: I think I'm going to give Mike the last word.

19 MR. TAYLOR: I just want to try to confuse the language here
20 a little bit more if I can and offer a way to think about this
21 very critical issue of validation and what our role will be.

22 You know, when we -- when day one arrives, the date by which
23 any particular plant is legally obligated to operated a HACCP
24 plan, we're going to be rather serious about seeing to it that
25 companies have in fact got in place on that day a HACCP plan
26 that meets the basic requirements of the regulation.

1 One of which, obviously, as one of the principles of HACCP
2 will be that that company has validated its plan. I mean, the
3 real validation obligation is the company's obligation, and the
4 thing that an inspector is going to be asked to do first,
5 almost literally if not actually day one, but is to go through
6 and see that they've got a plan, that they've validated it,
7 that they've done the things that they're obligated to do under
8 HACCP.

9 And that inspectional activity is different from additional
10 inspectional activity that we might undertake later that we've
11 been describing as validation, but maybe what we -- we ought to
12 be using some other term because really our role at that stage
13 is to verify their validation which might involve us really
14 digging deep into the science, but it's the plant's obligation
15 to validate it, and we will have inspectional activity aimed at
16 really verifying that they've done that as well as activity to
17 verify that they're carrying the plan out in practice
18 appropriately.

19 So we maybe do need to refine our language a bit and not be
20 misleading about where the fundamental obligation for
21 validation HACCP plans lies which is with the plant.

22 MR. BILLY: Okay. I know there's others. I've got several
23 more on the list, and any that I've missed, we'll add right
24 after lunch. It's just before 1:00. I'd like to resume at
25 2:00.

26 (Whereupon, lunch break was taken from 12:52 p.m. until 2:18

1 p.m.)

2 MR. BILLY: I'd like to ask everyone to be seated, please.
3 Okay. I'd like to get started again. I -- here's what I'd
4 like to do. I have several names left on the list. I'm going
5 to in a moment run through them, and the question I'm going to
6 ask is whether any of the people that remain on the list at
7 this point feel they would like whatever point or comments they
8 wish to before we actually talk about the reg reform package.

9 If it's more relevant to this morning's discussion, or you
10 know, another way to look at is whether they'll keep until
11 after we have this follow on discussion or not. The choice is
12 yours, but I'm not going to add any more names then until after
13 we -- after we finish the presentation.

14 So Tara?

15 MS. KINDRED: Yes. Tari.

16 MR. BILLY: Tari. Sorry. Okay. And Lou Gast. He passed.
17 Okay. What is it? All right. Rosemary?

18 MS. MACKLOW: Yes.

19 MR. BILLY: You want to be -- Tom Devine? Yes? Marsha?
20 Okay. Okay. And Lee Jan?

21 MR. JAN: Yes.

22 MR. BILLY: Okay. And Marty Holmes? Pardon me?

23 MR. HOLMES: Pass.

24 MR. BILLY: Pass it? Okay. Okay. Tari.

25 MS. KINDRED: I'm Tari Kindred -- as a federal veterinarian,
26 not FSIS. The National Association of Federal Veterinarians as

1 you know, Mr. Taylor, strongly support your HACCP initiative.
2 Our only concern is how it's implemented. We're very
3 supportive of the effort.

4 You did make a request earlier this morning about 9:15, and
5 I'd like to briefly respond to that. You asked about comments
6 related to what we currently do under inspection that work
7 versus those that don't work. We think a lot of things that
8 are currently done under inspection certainly can be changed
9 and modified very productively and fit under the HACCP program.

10 Traditionally, we've had a very strong preventive system for
11 approximately 90 years. Unfortunately, there have been some
12 omissions in what's covered by the current system, but we think
13 it has been an effective preventive system in many ways, in
14 that the whole premise of the system initially was designed to
15 prevent some public health problems by removing unhealthy
16 tissues from unhealthy animals from the food supply.

17 For example, under the current system, tumors and systemic
18 infectious conditions are being removed through ante-mortem and
19 post-mortem inspection. We do think that that's an important
20 function. In addition, we have had this inspection system in
21 place which has screened out basically unhealthy animals from
22 the food supply.

23 Many things that are of great public health concern are not
24 known. For example, we did not know about O-15787 or
25 campylobacter or other things in 1980. The picture is
26 constantly changing as we gain new knowledge.

1 So one concern we have is if we do away with continuous
2 inspection of certain animal categories, what may get through
3 the system. We also recognize that we have different
4 populations of animals that we're looking at. Some are young
5 uniformly healthy populations of animals. Those present a
6 different challenge than old -- well, I guess you would say
7 older animals with higher prevalence of disease conditions, so
8 we'd like to see that addressed in what we're doing, and you
9 may already have that in mind. We simply haven't addressed the
10 issue here.

11 In addition, we did want to point out that slaughter and
12 processing systems present different challenges and must be
13 handled differently, and I think it's very readily obvious how
14 HACCP can be applied very quickly to the processing systems.
15 We think it's a little sketchier in terms of slaughter
16 inspection.

17 Traditionally, in in-plant slaughter inspection, we've done
18 many things besides look for ante-mortem and post-mortem
19 pathology and screen it out of the population. We've looked at
20 sanitation concerns both coming from lesions on those animals,
21 organisms coming out of the digestive tract that can
22 contaminate product, as well as environmental concerns.

23 And we know that most of those are readily amenable to
24 putting them under the HACCP system. So we see a lot of things
25 that work well under HACCP. Our major concern is basically
26 carcass inspection in some form. So we would be curious to

1 know how you intend to address that.

2 MR. TAYLOR: Let me say a couple of things. That may be --
3 that's an issue that there's wide interest in, and maybe there
4 would be others who would want to react or comment on what
5 you've said and what I will say.

6 Now, I agree with your premise that the current system of
7 inspection does contribute to prevention and contributes to
8 food safety in very substantial ways, and you mentioned some
9 specific examples of conditions that are addressed by the
10 carcass-by-carcass system and that again are important for food
11 safety as well as for meeting other consumer expectations.

12 And we -- again, as I said earlier, envision pursuant to the
13 statutory mandate that exists today as well as because it's
14 important to achieve these objectives, some form of carcass-by-
15 carcass examination continuing in a HACCP environment.

16 And again, as you know and we've mentioned, there's a lot of
17 very critical thinking being done within the agency, much of
18 which is reflected in the top to bottom review report on in-
19 plant inspection roles concerning the manner of conducting this
20 examination, and one of the possibilities, obviously, is to
21 vary the intensity and manner of the examination on a risk
22 basis, taking into account what's known about the health
23 condition of certain categories of animals.

24 So we -- you know, we're working on these issues. I think
25 one of the -- you know, one of the issues that needs to get
26 focused on and sorted out, and it may be it lies is logically

1 under the layering banner as any banner is -- is specifically
2 the issue of the interface between a plant HACCP plan in a
3 slaughter operation and the FSIS carcass-by-carcass examination
4 which is a complicated question and even more complicated than
5 with respect to HACCP's application, for example, in a
6 processing plant where you have a HACCP plan for a process that
7 involves a kill step and some very specific well -- you know,
8 well understood and identified and sort of crisply verified
9 control points, critical controls.

10 In slaughter, we -- we are breaking new ground really in
11 terms of how to -- the application of HACCP, at least in
12 comparison with the environments in which HACCP has -- you
13 know, the decades of experience, and in particular, we -- you
14 know, we've got to figure out sort of where the safety related
15 aspects that are covered by HACCP, and clearly, we will be
16 inspecting, you know, in kind of the mode that we've been
17 discussing here today with respect to our oversight of HACCP
18 generally.

19 How do those control points and our inspection there, how
20 does that interact with our -- you know, with the carcass-by-
21 carcass system? I mean, that's -- you know, that gets at the
22 heart of the issue of how, you know, we will manage inspection
23 and HACCP oriented plants.

24 And it's something we're grappling with. It's something, you
25 know, we're in the course of our -- our review of existing
26 regulations, we specifically raised this question, and we'll be

1 inviting comments through that Federal Register process on how
2 -- what that interface should be. I'd certainly welcome any
3 top of the head observations from others around the table, but
4 it's a -- you know, it's a gut issue we're working on.

5 MS. KINDRED: Well, thank you. We realize it's a complex
6 issue, and we appreciate your response. Thanks.

7 MR. TAYLOR: Okay. Thank you, Kari. Dane, you've had
8 something to say on every other issue. Please don't be -- I
9 was just kidding.

10 MR. BILLY: Rosemary.

11 MS. MACKLOW: Mine is just a sort of a general comment. I
12 can go on for a long time, but I'll try not to. When I got
13 this in February, I knew we were in something big. I'd never
14 seen a package this thick from FSIS before.

15 What it really is and what we're grappling with here
16 yesterday, today, and for the next several days, it's a
17 paradigm shift. It's a cultural shift, and it's a generational
18 shift, and it concerns me greatly that we may shift the written
19 document, but the people on all sides of the issue will trail
20 far behind.

21 And there are lots of people involved in this. There are
22 your people. There are the industry people, and there is the
23 consumer out there that want the level of confidence. So as we
24 struggle with all of this, I urge that we not try to meet some
25 artificial deadline that says we've got to do it by such and
26 such a date, that we -- we look at the various pieces that go

1 in because quite frankly, some of its easier than others.

2 And I have enormous respect that you yourself and Mike Taylor
3 did a lot of this for the fish industry, but I got to remind
4 you you started from a whole different place. They didn't
5 already have a body of codes of federal regulations and nearly
6 10,000 employees of an agency out there. You didn't have to
7 make those kinds of cultural shifts that this industry will
8 have to make in order to meet the future.

9 So while that is a highly commendable, historical, and
10 institutional pattern, it doesn't necessarily fit the
11 dimensions of what we've got to accomplish in this industry in
12 order to face the future.

13 I would point to you that if you've got companies that are
14 making like products, and I know lots of them and they're
15 relatively small companies, you take one of Dave Carney's
16 inspectors, and he goes to company A and he validates their
17 process. He'll go to company B and he'll say, well, yours
18 doesn't validate because it doesn't meet -- match company A's
19 even though they may make the same product because he is
20 culturally attuned to the fact that everybody does the same
21 thing.

22 That's a huge hurdle to get over. Tough for his inspector.
23 It's tough for the companies. They may think that they meet
24 the HACCP requirements by simply patterning on each other, and
25 that may not be true because they have a different space or
26 just minor differences for how they do things, and their

1 control points may be different.

2 I am concerned about the most vulnerable firms in this
3 process, and they are the ones who are still trying to remember
4 what HACCP stands for. They're not into the acronym yet.
5 There are people out there who don't know what it means yet,
6 and even if they can put the words to it, they don't understand
7 what the foundation of it is, and you've got inspectors that
8 are in the same position that may surprise you to find that
9 out.

10 They all have to come up to speed. We aren't going to do it
11 very quickly. It's going to take time, hard as we may all
12 work, and that is of great concern also.

13 I, you know, would suggest to you that there are some things
14 that we can do. We can do them very expeditiously. We can do
15 them next week if you want. You've got firms in this room who
16 already have substantial HACCP programs that are way beyond
17 some of your wildest dreams, and maybe they should be given an
18 opportunity to move aggressively forward and burn the trail for
19 a lot of other people behind them.

20 And maybe that step process is a way to approach this. We
21 can learn from our past on that. Not everybody went for TQCs,
22 and there were reasons for that, and there were failures in
23 that system, but maybe if we write the book right for the
24 future, you can go forward in that score.

25 I would suggest to you -- and this is nasty of me, and I'm
26 sorry. I shouldn't say this -- but you know, if you'd moved on

1 the petition I sent you guys a year ago on lockers, we wouldn't
2 have to talk about that issue today or any future time, but it
3 got shoved aside into a thing called regulatory reform.

4 The agency needs to learn to be more responsive to making
5 those operating changes, whether it's something you're going to
6 phase out all together or whether it's something you can do.
7 We don't need fancy metal lockers on legs and so on in locker
8 rooms. We can do with bins in some companies where they don't
9 really change clothes. They simply put their purse away and
10 put a gown on to go to work.

11 That's something that could have been done, and it
12 disappoints me, and it doesn't speak well for the agency making
13 really big change when it isn't capable of making small
14 changes. We can talk about that all afternoon, but I'm sure
15 you don't want to.

16 I'll rest a moment. Thank you.

17 MR. BILLY: Marsha. Oh, I'm sorry. That's right. Lee.

18 MR. JAN: I'm Lee Jan, National Association of State Meat
19 and Food Inspection Directors. This morning, we heard several
20 people say regarding the role of the inspector, HACCP, and
21 about organoleptic continuous inspection, and one of the later
22 comments right before lunch really made me think I need to say
23 something.

24 Caroline's comment about the rust falling from -- on the
25 product and asking Mr. Smith if the inspector had the ability
26 to do anything about that under HACCP, and of course, the

1 answer was appropriate, but to me, that seems to be building a
2 foundation to argue for continuous inspection, and with today's
3 interpretation of continuous inspection, that means daily
4 inspection.

5 And I don't believe daily inspection in processing plants --
6 I think that's something that is one of the failures -- if
7 there is a failure in the system, that's one of the things that
8 contributes to that because the term used earlier or yesterday,
9 barn blindness. Inspectors can get barn blindness just like
10 the plants can.

11 If you give a -- the plant the authority or say, okay, you
12 have to do standard -- or standard operating procedures for
13 sanitation and HACCP and those things, for those to work
14 effectively, it's my strong belief that the inspector cannot be
15 in that plant every day because what's going to happen is what
16 see happening today.

17 The plant -- or plant employees will notice that the
18 inspector didn't say something about something, whether it be
19 barn blindness or whether just an oversight, or maybe the
20 inspector was waiting to see, give the plant time to do
21 something, but for whatever reason, if the inspector didn't
22 identify the rust, then the plant says, well, that must be okay
23 because they didn't say it was wrong. It must be okay.

24 And I'm afraid that if the inspectors are in the plant every
25 day like they are now, we're not going to have -- give a chance
26 for SOPs and HACCP to work the way it's supposed to work. We

1 still need to have organoleptic tests. Don't get -- I mean,
2 inspection. I mean, that -- I'm not saying that we don't need
3 that at all because we do.

4 And I think we need it on some relatively frequent basis.
5 Whether it's once a week or whatever it be, and that would
6 depend on the situation, but we still need that, but by getting
7 the inspector out of the plant, it gives them a chance to see
8 more plants, number one, get -- and get a broader view of what
9 is out there, gives them a chance to see that subtle change or
10 that change that's happening slowly doesn't sneak up him, and
11 it gives the plant the responsibility or makes they rely on
12 their plans.

13 But the plans do have to be effective, and they have to be
14 applied effectively by the plant, but if we keep an inspector
15 in there every day because it's working, I think that's going
16 to be flying in the face of what we're trying to do with this
17 thing. So we need to have them both, but we definitely need to
18 get the inspectors out of the plants on a daily basis, out of
19 the processing plants. Thank you.

20 MR. BILLY: Okay. I'm going to now have Ralph Stafko talk
21 about the reg reform package -- oh, sorry. Tom Devine. I'm
22 sorry, Tom.

23 MR. DEVINE: I have a couple of questions, but I did want to
24 give a differing view to the last point that was made that
25 plants will assume more responsibility if inspectors aren't
26 there.

1 I think one of the real advantages of HACCP is that it pushes
2 the industry to assume more responsibilities and have less
3 dependence on -- things are all right if the USDA inspector
4 hasn't cited that for something. The idea though that the way
5 to get plants to be more responsible is for the inspectors not
6 to be there is a very difficult concept to swallow, and it's
7 going to be extremely difficult to sell to a public that's
8 skittish about food safety.

9 It's like saying that children will grow up faster if their
10 parents desert them. Well, they probably will, but there's
11 liable to be some real disaster in the interim, and this
12 industry doesn't need any more of that.

13 From listening to this morning's discussion, I realized there
14 were -- there were four questions to pose to you all that I
15 previously had thought there were answers to, and maybe it's
16 just I'm in the process of reaffirming this.

17 The first is if you all could summarize FSIS's definition of
18 validation including how that is different from approval. The
19 more I listened, the more confused I got this morning.

20 The second is what are the criteria for inspectors to
21 validate a HACCP process? Not just in terms of the seven
22 principles, but vis-a-vis compliance with USDA's ongoing
23 regulations which everyone's -- still are in existence when the
24 validation occurs.

25 Third, do USDA's ongoing regulations still have the force of
26 law if they conflict with a validated HACCP plan? And fourth,

1 what enforcement authority if any will inspectors lose if they
2 detect violations of ongoing regulations?

3 MR. SMITH: I'll try and address most of that. I don't want
4 to get -- if we put validation aside for the second, and we
5 talk about the activity that we're talking about here, we would
6 -- we want inspectors to go in and see that a hazard analysis
7 has been done.

8 So for that particular process that's being done in the
9 plant, we want to see that the plant has taken an active role
10 to identify the microbiological, chemical, and physical hazards
11 associated with that process.

12 We want to see evidence that that has taken place. We want
13 to see then that critical control points have been established
14 to control those hazards, and so for every hazard identified,
15 we are going to be looking for a control to address that
16 hazard, and that would be the critical control point.

17 Part of a critical control point is a critical limit which
18 establishes the control. What is the factor at that point, the
19 critical limit, when -- if it's sails that you have unsafe
20 product. Many of our regulations today could serve -- could
21 serve as a critical limit. The 160 degrees in poultry comes to
22 mind. The 145 instantaneous of the time/ temperature in roast
23 beef comes to mind. The patty docket and those
24 time/temperature relationships come to mind.

25 The -- the actions when we do not mix raw and cooked, and the
26 things that are in the regulations could be used as critical

1 limits in those situations, so as far as the ongoing
2 regulations, they can be, and I -- and some plants will use
3 those as their critical limits.

4 We are also going to be looking then -- the inspector is
5 going to be looking at once a critical control point and that
6 critical limit has been established that there is monitoring
7 activity identified to monitor that critical control point,
8 that there is going to be records available to -- that state
9 that that monitoring activity is going on, that when a critical
10 limit and a critical control point is not met, that they -- we
11 will expect to see evidence that there is going to be
12 corrective action that is taking place. Who's going to take
13 that corrective action in the company? How is that going to be
14 documented? How is that going to be -- you know, whether we're
15 going through processing authorities.

16 There's going to have to be a mechanism in that plant to
17 identify how they would handle corrective action, and last,
18 then plant verification activities which means, for any given
19 process that all those pieces are looked at before a product is
20 shipped out, the monitoring, any micro associated testing, and
21 not just microbiological but if there's metal detection, any
22 chemical testing that relates to those critical control points
23 has been completed, and that somebody has made that assessment
24 that all those things are done before that product goes out the
25 door.

26 Those are the things that we're going to be looking for as

1 part of that activity of determining whether we have a valid
2 HACCP plan at least to start up under the proposed rule. Those
3 are the things we would expect inspectors to be doing.

4 And therefore, if current regulations are used as critical
5 limits, then they would meet -- then of course, we're going to
6 be enforcing current regulation. If we have critical limits
7 that have been scientifically supported that are equal to a lot
8 of those regulations, then we're saying that the company can go
9 ahead and use those.

10 So just as a case in point, if somebody came up with a
11 time/temperature for poultry, let's say -- I don't know, just
12 pulling it out of the air, 152 degrees, and they hold that for
13 17 minutes at 90 percent humidity and has the scientific
14 evidence to say that that results in a -- reduction of
15 salmonella, which is a lot of what the current 160 was built
16 off of, you have an equal to the requirement we have.

17 And we're just saying that if we have that kind of
18 information and documentation that supports, that we -- then in
19 that case, that would become the critical limit that we verify
20 in the plant instead of the regulatory 160 degree because they
21 are equal to. They are equal or equivalent requirements, and I
22 believe that's the wording that we use in the proposal, that if
23 it's equivalent to, that allows the industry to innovate as
24 long as they're equivalent to.

25 And so that's how we would see this operating under that
26 activity that we've called validation up to this point, but the

1 activity where the inspector goes in and makes an assessment
2 about the validity of that plan.

3 MR. DEVINE: So I heard an answer to one of these questions.
4 In making the validation judgment, USDA's regs no longer will
5 be controlling. They can if a plant opts to use them, but they
6 won't have the force of law any more. If --

7 MR. SMITH: They'll be equivalent to -- and the proposal in
8 and by itself and a regulation makes it subject to the law.
9 The whole proposal is based in regulation, and we said we would
10 suspend operation, a regulatory action, if those are not met,
11 so --

12 MR. DEVINE: So if they've got a study -- if they have a
13 study, they can do it -- they can cook to 150 degrees instead
14 of 160 degrees despite a conflicting USDA regulation. As long
15 as you all review that study and agree that it was --

16 MR. SMITH: That's it's equivalent to. I believe if we go
17 back to the 160 degree -- a little bit before my time, but I
18 believe the 160 degrees is based, I'm told, on the -- reduction
19 for salmonella. If that 152 degree, that scenario we just went
20 through, equals that, then we have an equivalent standard, and
21 it's just as equivalent and enforceable as the regulation.

22 MR. DEVINE: Well, your answer to my question is yes, and
23 there will not be any consistent minimum floor for what the
24 USDA still represents. Is that what I'm hearing? It can be
25 150 degrees at one plant, 165 at another, 132 at another? Just
26 in terms of --

1 MR. SMITH: As long as it's equivalent to what exists today,
2 and so it would have to show equivalency, and so it has to be
3 able to result in that -- reduction in salmonella which we --
4 and that's what we'll be training our inspectors. We're not
5 just opening -- opening the door here to anything.

6 You have to have a scientific basis that shows that you have
7 a kill step and what that kill step means, and we are going to
8 be looking for equivalency to what we have now.

9 MR. TAYLOR: Tom, let me -- this issue of how we shift from
10 command and control regulations prescribing detail with legally
11 binding force and effect how a company cooks a products, the
12 shift from that to performance standards and HACCP is very much
13 at the heart of what Pat Stolfa is going to talk about because
14 one of our regulatory reform proposals is to modify the
15 existing command and control regulation for cooked products to
16 incorporate a performance standard option which, again, we'll
17 talk about and which provides -- the point is it provides a
18 legally enforceable basis for ensuring that the same standard
19 of safety is met.

20 And when we say we need to review existing regs to make them
21 consistent with HACCP, I mean, one reason we have to do that is
22 because we can't have exist -- co-existing one regulation that
23 purports to say there is only one way to produce a safe cooked
24 product to a certain performance standard while we've got HACCP
25 saying that it's the plants responsibility to design a system
26 that meets an appropriate performance standard.

1 I suppose if there -- you know, if we identify requirements
2 and conclude that there is in truth and in fact only one way to
3 produce a safe product, then that perhaps is a reg that remains
4 consistent with HACCP, but this is the whole transition we're
5 talking about, and so I think we ought to try to -- on that
6 particular issue, let's -- let Pat walk through in substance
7 when we get to that how we're proposing to do that for cooked
8 products.

9 MS. HANIGAN: Mr. Taylor, can I make one point because I
10 think we're getting confused. This is Katie Hanigan with
11 Farmland. I think it's important also when we're trying to
12 help the inspectors with validation that they understand if
13 they come out to validate our program and they see that a
14 critical limit was exceeded and we took corrective action as
15 per our plan, that our HACCP program is working.

16 We don't want to get tangled up now where if we exceed a
17 critical limit and took corrective action, that it means the
18 program is not correct. I mean, we need to get that clarified,
19 too.

20 MR. SMITH: Absolutely. Absolutely, and I believe I said
21 that both yesterday and today. That if the plant is taking --
22 because that's one of the basic seven principles. They have
23 identified corrective action, and the plant takes that action,
24 the system -- that is -- that is verification that the system
25 is working.

26 MS. HANIGAN: Right. Thank you.

1 MR. BILLY: All right. I think we're going to move on.

2 Ralph?

3 MR. STAFKO: Okay. Thank you, Tom. I'd like to spend a few
4 minutes going over generally the issue of regulation reform and
5 what it is in today's context. Most of this is going to be in
6 the advance notice proposed rule making which is one of the six
7 documents which are listed in this backgrounder which I believe
8 everybody has had a chance to look at.

9 I'd like to tie that in in a few words with what the layering
10 issue is as I perceive it and then spend some time going over
11 in a little more detail these documents which are in the
12 backgrounder.

13 Mike Donovan raised the point earlier which I think is
14 germane about the confusion that I think a lot of people have
15 connecting our food safety initiatives and HACCP with our reg
16 reform initiatives. The latter really encompass more than just
17 food safety and HACCP. Certainly, that is a prime motivation
18 for why we're doing regulation reform to the extent we are
19 today, but regulation reform does include more.

20 Earlier this year, shortly after we issued our proposal,
21 President Clinton directed that we as well as the rest of the
22 executive branch agencies undertake among other things a page
23 by page review of all our regulations. Fortuitously, one of
24 the things that we were review them for was to see if there
25 were ways that those regulations that we needed to keep could
26 be made more performance standard oriented and less

1 prescriptive, and this was very much in line with where we were
2 coming out in our HACCP deliberations as far as things that
3 needed to be done with our current regulations.

4 The page-by-page review that we conducted -- this was for the
5 national performance review as the executive agency under the
6 President or actually the Vice President that was overseeing
7 this activity -- was looking for us to eliminate all the
8 unneeded regulations, to indicate what other remaining
9 regulations should be revised, and also to make those remaining
10 regulations easier to use, focusing as is also a reinvention of
11 government goal on serving the customers.

12 So while we were in the process of making this review, we at
13 the same time tried to identify all those regulations that
14 would be affected by our HACCP proposal, those regulations
15 which we felt would have to be changed in some way to
16 accommodate the HACCP system as we had planned it or proposed
17 it.

18 As a result of our page-by-page review, we identified I think
19 about six percent of our actual existing regulations to be
20 eliminated, about -- close to 70 percent revised in some
21 fashion for the reasons I mentioned.

22 In doing that review, we also took a cold look at our
23 regulations in their entirety and came to the conclusion
24 basically that we had to redo the whole schmere. They are not
25 very user friendly we had to admit, and there's a lot of
26 reasons for this, and a lot of it is inherent in how we

1 regulate and how things have evolved over the years.

2 I think it's useful to recap basic rule making procedures.
3 There's basically two ways in which we, like other agencies,
4 can establish rules. One is through case-by-case adjudicatory
5 kinds of activities. The other is through notes and comment
6 rule making.

7 Meat and poultry inspection is inherently a -- an
8 adjudicatory kind of an activity. Inspectors and inspection
9 program people make thousands of decisions every day, and in
10 the totality and precedence that evolve from those decisions,
11 you develop rules. It's management's job, of course, to ensure
12 that the people who are exercising this discretionary authority
13 have the training that they need, the administrative support
14 they need, and the various kinds of direction that is required.

15 Over the years, we've developed a body of documents that has
16 been talked about already as a source of some concern. Things
17 like our manual, MPI manual, directives, MPI bulletins, a whole
18 variety of issuances that are sent out from Washington and from
19 the regions and other folks at various levels of management to
20 people out on the front line in an attempt to provide them the
21 information that they need or that management feels they need
22 to be able to do their job well.

23 These are also provided automatically to all the inspected
24 establishments. They provide a valuable source of guidance at
25 a minimum to them about what the inspectors are doing. Many of
26 these materials have been drafted in such a way that many times

1 they can be viewed as functional regulations. We take a mae
2 culpa for that. That's happened in the past.

3 On occasion, I understand that inspectors rely on these and
4 treat them as if they were rules. Legally, we can say this is
5 not the case. They are guidelines. Inspectors are exercising
6 their discretion, but the way they choose to use those is
7 something that we have to cope with and, hopefully, something
8 that can be fixed as we revise our regulations and clarify what
9 it is that inspectors do and what it is that the industry is
10 tasked with doing in the regulation.

11 The APA rulemaking activity has been, of course, a more
12 recent development, and we have over the years promulgated a
13 variety of regulations, and in the context of our inspection
14 activity, issues come up that require some kind of across the
15 board solution, and in those cases, we have in many times put
16 together regulations to address those problems.

17 Unfortunately, looking at it retrospectively, it has been
18 kind of a hit or miss activity. Our regulations are not
19 consistent. They're not very well organized. As I said, it's
20 often not clear who is responsible for what, whether it's the
21 inspector or whether it's the plant who is charged with doing a
22 particular mandatory item in a plant.

23 There's also a lot of redundancy. This is especially true in
24 the case of the meat and poultry inspection regulations. The
25 poultry regulations were adopted at the time that the poultry
26 inspection came under the same management as meat. It was

1 always kept separate and still is today. So consequently,
2 there's we figure well over a hundred pages of virtual
3 duplication in our regulations.

4 Perhaps just as much of concern is the fact that a lot of the
5 regulations that perhaps should be identical in those two
6 provisions -- or those two areas of regulations are not. We
7 did a side by side review a few years ago of the meat and
8 poultry regs that highlighted a number of areas where there
9 were differences that one could have a difficult time
10 justifying and that have in some cases been asserted as being
11 unfair to one segment of the industry or the other.

12 These are all things which we propose to address in our
13 comprehensive regulation review which we are in the process of
14 undertaking as we speak. The ANPR is going to have attached as
15 an appendix the -- both the initial list that we provided the
16 Vice President earlier this year and a separate list of those
17 regulations, a subset of the original list, and we've amplified
18 it, which attempts to identify all those regulations that we
19 feel are going to have to change to accommodate HACCP, and we
20 can -- I'll list those shortly, at least some examples from
21 there.

22 Layering. We on the staff last year when we were getting
23 into HACCP and getting enthusiastic about all the new things we
24 were going to be doing made a conscious decision that the
25 changes to the existing regulations were something that we
26 could address after we had fine tuned what it was we were going

1 to be doing in our new regime, what this new paradigm was going
2 to look like, and the idea was that once we had that defined,
3 we could go back and better define what it was that needed to
4 be changed.

5 And that's also another reason why we had the fairly lengthy
6 phase-in period. We knew that it was going to take time to go
7 back and change those new regulations -- those old regulations
8 to accommodate the transition to our new system, and it seemed
9 fairly obvious to us as it turned out, of course, to many of
10 you folks it wasn't very obvious, and I guess it wasn't very
11 obvious to the House Appropriations Committee folks either.

12 And so we had to take a bit of a mae culpa on that, too. We
13 didn't do a good job of communicating our intention to address
14 our current system, and we should have done a better job.

15 However, having refocused our activities, our attention, we
16 in addition to holding these meetings are -- have increased our
17 efforts at starting our reg reviews, and we have put together
18 this package of six documents, Federal Register publications
19 which is going to be our first installment on our comprehensive
20 regulation review.

21 If you'll turn to your backgrounder, the advance notice of
22 proposed rule making, there's basically that. There are four
23 proposed rules, and then there is a final rule in the labeling
24 area. The ANPR, as I said, outlines our overall long-term reg
25 reform agenda. It also includes a piece on standards of
26 identity.

1 Standards of identity have been questioned in terms of their
2 validity and merit. They are very prescriptive and in some
3 ways prospective, and many have said our antithetical to the
4 concept of performance standards. However, we know there is
5 also a lot of reliance on them amongst many in industry, and we
6 at this time didn't have a particular handle on how to propose
7 changing them, much less doing away with them, but we are open
8 to people's comments on what we might do differently in the
9 area of food standards and composition, food standards by
10 identity and composition.

11 Performance standards, I'll defer to Pat Stolfa on that, but
12 these are proposed rules which we hope will provide a
13 demonstration of how we intend to use performance standards in
14 our new HACCP approach to regulation.

15 The third document is a proposal to eliminate a number of
16 prior approval requirements. As Mike said earlier, we are
17 proposing to do away with the prior approval requirement for
18 facilities, floor plans, for specifications of equipment, and
19 for most of the partial quality control plans that we require
20 prior approval of.

21 The next proposed rule is on substance approval. This is a
22 proposal which would basically do away with the current
23 requirement in our regulations that substances to be added to
24 meat and poultry products must be approved by us and be listed
25 in our regulations before they may be used. This requirement
26 is on top of an existing FDA requirement under the food

1 additive provisions of the food, drug, cosmetic act that they
2 approve all those same substances for their safety.

3 So it is in a sense a duplication of effort, and we are
4 proposing here to basically piggy-back on FDA's rule making so
5 that there's only one federal rule making required for
6 substances that can be used in meat and poultry products.

7 Let's see. The next document is a proposal to allow -- and
8 John McCutcheon can speak to this in more detail -- but this
9 would allow the use of a modifying nutrient content claim in
10 conjunction with the name of a standardized product. Even
11 though that product doesn't meet strictly the requirements for
12 that food standard, you can still use the standardized name as
13 long as it is -- the product has been changed only to comport
14 with the requirements for the nutrient content claim.

15 Finally, we have a final rule which is a follow up of a
16 proposal issued earlier that would take many of the current
17 label -- prior label approval requirements and turn them into
18 generically approved labels, that is, labels that don't need to
19 be approved prior to their use.

20 The prior approval process itself is something that continues
21 to be looked at and will be open to further comments and
22 suggestions on that particular process in the future.

23 That is basically the documents that we hope to publish, like
24 I said, in the very near future. They're all in final
25 clearance right now. We have enough feedback from folks in the
26 administration that are reviewing it that the essence of these

1 are -- are within the scope of their approval, but some of the
2 details, as we said to them were details, are still being
3 looked at, but we do hope they'll be published very shortly.

4 The list in the ANPR of documents that -- or existing
5 regulations that we hope to address prior to the implementation
6 of HACCP is a very tentative list, and I think, again, Mike
7 said we're hoping we can get a lot of input on this issue from
8 everybody, both in terms of the scope of the list and in
9 particulars, insofar as how things should be changed.

10 Some of the areas are basic, like definitions. There are
11 conflicting ways things are defined in the meat and poultry
12 regs, for example. The application for inspection. If we're
13 doing away with the requirement for facility plans, that needs
14 to be changed. Inauguration withdrawal of inspection, the need
15 to clarify the role of what inspectors do is implicit in that
16 area. The question of appeal has been raised and the relation
17 between the general proposition that you just appeal up the
18 chain of command as opposed to a more formal appeal procedure
19 such as we have elsewhere in the regulation for withdrawal of
20 inspection. Those things have to be worked out.

21 The area of facilities for inspection, things required for
22 sanitation. Again, the hope and the goal is that we can make
23 sure the regulations include only those things that are
24 absolutely required and rely primarily on the industry to
25 decide exactly how it is they achieve those requirements.

26 A lot of things in the area of reinspection have to be

1 addressed. There is some concern about access to information
2 and record keeping. Those would have to be addressed as well.

3 This list is not addressing slaughter activities. We decided
4 that that is an issue we intend to address a little bit later
5 in keeping with our still planned for phase in of the HACCP
6 requirements. We are addressing those things that we feel
7 we're going to have to fix first and are allowing ourselves a
8 little bit more time to do everything else.

9 That's all I have to say for now.

10 MR. BILLY: Ralph, you mentioned a couple of percentages.
11 Would you repeat those in terms of elimination and then
12 modifications for HACCP?

13 MR. STAFKO: That -- that wasn't specifically for HACCP.

14 MR. BILLY: Okay.

15 MR. STAFKO: That was in response to the national
16 performance review requirement for an estimation of how many of
17 our current regs we could eliminate, and it was I think a
18 little over six percent, and how many of the remaining would be
19 revised or reinvented in some fashion, and it was up close to
20 70 percent.

21 MR. BILLY: Okay. Thanks. Pat, do you want to talk
22 specifically now about the performance standards and --

23 MS. STOLFA: Sure.

24 MR. BILLY: -- the examples?

25 MS. STOLFA: Pat Stolfa, FSIS. As Ralph mentioned, among
26 the six documents which will appear in the Federal Register as

1 part of our regulatory reform initiative will be a proposal
2 which takes three of our existing regulations and supplements
3 each of those three existing command and control regulations
4 with a performance standard.

5 And this has been an interesting and I think educational
6 experience for us to make sure that -- that we could do this.
7 I'm only sorry that it's not available to you so that you could
8 see it and get perhaps a better understanding of what our
9 vision of performance standard regulation is.

10 The three regulations -- I will do my best to sort of explain
11 this conceptually, but I -- in a lot of ways, you need to read
12 the proposal to get the flavor of it. The three regulations we
13 chose to make this transformation and add the transformation to
14 our existing regulations were the regulations governing cooked
15 beef, the regulations governing cooked and partially cooked
16 uncured patties, and the regulations governing cooked and some
17 partially cooked poultry.

18 And they made a nice package because they had a similar set
19 of performance elements, and one way I think to perhaps
20 understand the move from command and control to performance
21 standards is that when we move to performance standards, what
22 it seems to me that we're doing is that we're exposing in a
23 more transparent way the scientific and technical underpinnings
24 of our command and control regulations.

25 And what we're proposing to do is to regulate on the basis of
26 the scientific and technical underpinnings, but we continue to

1 keep in the regulations the current command and control and
2 prescriptive approach so that any company that wishes to remain
3 with the current approach and does not care to change its
4 process has a way that it can comply with the performance
5 standards. There's a sure way to comply with the performance
6 standard is if you do the things that are in the existing
7 regulations.

8 So what -- we're sort of going backwards in certain respects.
9 We kind of have a specific approach embodied in our existing
10 regulations, and what the addition of the performance standard
11 regulation is that it provides a more general approach which we
12 believe offers flexibility over the -- over the long term in
13 particular for companies that wish to innovate.

14 Now, let me talk more specifically about what are the
15 elements of the performance standard for these three
16 regulations. For each of these three regulations, there is a
17 lethality standard. That is, a kill step that needs to be met.
18 It might be expressed in terms of 70 salmonella kill, 5-B
19 salmonella kill, but there is a lethality standard which is a
20 key element of each one of the performance standards proposed
21 for these three sets of regulations.

22 The second element is a stabilization standard. You need to
23 make sure that after you accomplish the kill, you don't -- you
24 -- you rapidly cool the product is the way that it's most
25 usually accomplished under -- under present regulations, but
26 the standard is that you need to make sure that spore-forming

1 bacteria are not able to grow or are not able to grow in
2 amounts that present food safety hazards, and so that is the
3 second element of the performance standard.

4 And the third element of the performance standard is a
5 handling standard. You need to get the product into its final
6 packaging without introducing any other pathogens, and so these
7 are the three basic elements of the performance standards for
8 each of these three sets of regulations.

9 Now, what if you're a company that doesn't want to following
10 the safe harbor which the existing regulations provide for you?
11 What do you have to do? What you have to do is, let's say --
12 and my understanding is that the most frequent thing that
13 people probably presently ask for about the meat regulations is
14 some alternatives to the stabilization standard. That is, they
15 want a different -- a different cooling rate or a different
16 cooling procedure than are prescribed in the existing
17 regulations, and we get requests to look at those alternatives.

18 We won't have to look at those any more because what you will
19 do is a company that wants to approach this a different way
20 will go to a processing authority and seek from a processing
21 authority a processing schedule that meets the performance
22 standard. If you want to change your approach to handling, in
23 other words, you would seek from the processing authority a
24 processing schedule that gives you an alternative way to ensure
25 that the standard for growth of spore-forming bacteria is met.

26 In addition, after you got the processing authority to give

1 you a process schedule, and you make sure that you had
2 corrective actions proposed, and you have a full set of
3 documents, in the plant, we would expect that the company would
4 produce three production runs following this new alternative
5 processing schedule, would hold and would test those samples
6 from those three consecutive runs, and if indeed it was
7 demonstrated through that that the company was able to follow
8 the process, the alternate processing schedule, and to meet the
9 performance standard, then that alternative approach would
10 become the standard way in which the company would accomplish
11 this performance standard.

12 So that -- this gives companies more flexibility we believe
13 to use alternative but equally effective standards. We did not
14 make any change in the food safety standard when we translated
15 the command and control regulations into performance standard
16 regulations. We wanted to make it very clear that this was
17 simply a translation from one kind of approach to another kind
18 of approach, and that it makes -- it made no difference in the
19 level of food safety that was achieved by those two differing
20 approaches to regulation.

21 And so that's what's in the proposal on performance
22 standards.

23 MR. BILLY: Okay. With that, I'd like to open it up again
24 for discussion. Lou Gast, you deferred. Is he here? Okay.
25 Marsha? Okay. Marty.

26 MR. HOLMES: I'm Marty Holmes with Southwest Meat

1 Association and on behalf of SMA, I'm here as well as -- we
2 represent a large number of small and medium sized packers and
3 processors in the southwest.

4 Yesterday, I want to obviously want to commend you on what
5 I've seen so far, but I do want to go on the record as in favor
6 of a total plate count versus a pathogen specific micro as a
7 baseline trend analysis. Certainly, as what I've seen right
8 here that we -- Ralph and Pat have both just gone through --
9 commend you on the -- getting rid of the unnecessary and
10 redundant regulations that you're proposing here.

11 We do have a mass educational effort on both of our parts as
12 industry and inspection to get our folks up to speed as to what
13 HACCP is. The one thing that we are seeing is HACCP is not
14 only the right thing to do because it's coming from the reg
15 side. It's the right thing to do for our customers, and we see
16 that our customers are coming to us saying, hey, do you have a
17 HACCP plan in place?

18 And they're wanting to come in to our plants and see what
19 we're doing, and so it's -- for us to stay in business, it's --
20 we've got to have customers. Obviously, we have to meet
21 regulations, but if we don't meet our customers' needs and
22 their expectations, then we're not going to be in business
23 either.

24 So we see as much HACCP being forced on us, happily so by our
25 customer base as opposed to just on the regulation base.

26 In terms of layering of HACCP, I see that the only way that

1 HACCP layers, it layers so that it encompasses GNPs and SOPs.
2 Other than that, you know, we certainly feel that there will be
3 organoleptic inspection, still expect inspectors to be in our
4 plants, probably more on verification and processing plants,
5 more the verification role, but certainly be there to see the
6 product through. As it relates to slaughter plants, we see
7 that more as a continual process of inspectors in our plants.

8 The appeal process when something does get out of control, I
9 certainly agree that if it is in control if corrective action
10 is taken, but when an appeal does happen, it is going to have
11 to be corrected very fast -- very quickly. When you have a
12 product on the line and customers on the line, they're
13 expecting shipments.

14 We have trouble now even in regional offices getting
15 questions answered because of the staffing problems, and I
16 understand where you all are on that, but that's certainly
17 something that's going to be even a greater problem as this is
18 implemented.

19 We feel that joint training of FSIS employees and inspectors
20 in HACCP along with industry, it's preferable that we're all
21 being trained on the same page from the same document and
22 certainly feel that what the international meat and poultry
23 HACCP alliance has done something that you all should take a
24 look at very closely to use in training your inspectors.

25 Other than that, you know, I've heard a lot of people say
26 that the devil is in the details, but from the industry

1 standpoint, I think it's the devil in the details that -- that
2 from the industry standpoint scares the hell out of us. You
3 know, we've talked about a lot of things in the last two days,
4 but there hasn't been a whole lot of detail, and that's --
5 that's what concerns us at this point. Thank you.

6 MR. BILLY: Jim.

7 MR. HODGES: Jim Hodges, American Meat Institute. I have
8 about two points of clarification. All this is related to reg
9 review and then some questions.

10 I'd like to clarify the time table to start with. You're
11 going to put out an advance notice of proposed rule making in
12 the near -- in the next few weeks prior -- correct? After that
13 -- soon. Okay?

14 MR. TAYLOR: Yes.

15 MR. HODGES: And then we are talking about putting out a
16 final HACCP pathogen reduction rule early next year which would
17 mandate the near terms to be put in place in the spring to
18 summer, but I believe -- and that your commitment is to
19 complete the regulatory review prior to HACCP implementation
20 which means between now and sometime before the HACCP portion
21 of the mega reg goes in place, that you will have put out not
22 just the ANPR but also put out a proposal and also put out a
23 final rule. Is that correct?

24 MR. TAYLOR: Any -- any regulations that need to be changed
25 to be consistent with HACCP in the way in which we've been
26 discussing that will be completed before plants are required to

1 implement HACCP.

2 MR. BILLY: The answer is yes.

3 MR. TAYLOR: Yes, we have a lot of work to do.

4 MR. HOLMES: But the near term mandates will go in place
5 prior to the completion of the regulatory review.

6 MR. TAYLOR: I mean, the whole issue of the timing and
7 manner of the near term, you know, measures is -- well, we've
8 got several days of meetings left and decision making, so I
9 mean, there's certainly -- there are issues about timing of
10 implementation of the -- and as well as manner, so we can't say
11 today what the time table is for the near term.

12 MR. HODGES: That leads me to a comment. Then I'll come
13 back to another clarification.

14 MR. BILLY: Before you move on in that, though, I'd like to
15 make a suggestion, and I'm not sure I said this before. We did
16 have on the first day at the bottom -- the end of the first day
17 the issue of timing, agency implementation, industry adoption.
18 My suggestion is that we add that on to the agenda for the
19 29th, and that after the discussion on the specifics in terms
20 of the near term as well as these discussions, we come back and
21 have a full discussion on timing and implementation, and it
22 probably makes more sense now in hindsight to have had that at
23 the tail end.

24 So that's my proposal to come back to that. Keep going on
25 your questions.

26 MR. HODGES: On the timing, you want to defer that until

1 Friday. Is that what I --

2 MR. BILLY: Well, keep going in terms of the specific
3 questions, but regardless of what we talk about right now, I
4 want to come back and have a full discussion on timing on the
5 last day.

6 MR. HODGES: Well, it just -- if you look at the schedule as
7 I outlined it, I think you generally agree that that was the
8 case. It certainly seems to me --

9 MR. BILLY: Specifically agree.

10 MR. HODGES: It certainly seems to me like --

11 MR. BILLY: -- specifics.

12 MR. HODGES: -- that we need to rethink our work ordering,
13 what we're doing here because in terms of the regulatory
14 review, how can you finalize a -- the HACCP rule, HACCP
15 pathogen reduction rule without having a definition of what the
16 regs will -- supposed to look like under that rule and
17 furthermore, that you have the definitions which in turn
18 dictates the inspector's function in a plant.

19 It seems like that you need to have the foundation built here
20 before you make the final determination on what that -- what
21 that HACCP and pathogen reduction rule ought to look like. At
22 least, logically, it seems that way to me, and then I'd like --
23 like to follow up with just a couple other clarifications.

24 MR. TAYLOR: Yes. I think from our standpoint, the logical
25 order is as we've laid out, and the reason is that the
26 regulatory reform, the changes in inspectional practice are

1 being built around the HACCP concepts and not the other way
2 around. I mean, HACCP is the framework that we are creating
3 for the future of the program, and we're going to do whatever
4 regulatory reform and modification of inspections appropriate
5 built around that framework.

6 So that's our logical -- that's our rationale for the order
7 of things.

8 MR. HODGES: We've also got some conflicts that exist. You
9 published this week the preliminary reports and the top to
10 bottom review. In that, you ask for comments on a variety --
11 well, you ask for comments on the entire report, but some of
12 the things that's listed in there is prior approval. How you
13 plan to handle prior approval.

14 Should we be commenting on the top to bottom report or should
15 we be commenting on the future -- the soon to be published
16 proposed rule that will eliminate prior approval?

17 MR. TAYLOR: We are very -- as you can tell, exquisitely
18 open to comment.

19 MR. HODGES: Will they differ?

20 MR. TAYLOR: But that's a very good -- all kidding aside, a
21 good question, Jim. The rule making proposals are -- to the
22 extent an issue is being addressed in the rule making proposal,
23 I mean, that obviously becomes the operative document, and so
24 we, you know, you can look to the top to bottom for ideas that
25 might influence your reaction to the proposal, but when we
26 proposed elimination of a prior approval system, that is our

1 proposal, and we invite comment on it.

2 Let me just say, I mean, the whole issue of commenting on the
3 top to bottom review, I realize it's going to be challenging if
4 you attempt to be comprehensive, and indeed as we say in the
5 Federal Register document, when you read the reports
6 themselves, there are all kinds of internal, sort of competing
7 recommendations with, you know, among team reports. I mean,
8 this was -- this is not held out as a cohesive set of agency
9 positions. This is a lot of raw material, ideas, analysis,
10 options generated by employees during a certain period of time
11 this summer when they were asked to do this.

12 So I mean, admittedly we now dump it out welcoming comment on
13 whatever aspects obviously interest you to comment on, but it's
14 between the top to bottom documents and the regulatory
15 proposals, obviously, those are, you know, in some cases our
16 thinking institutionally has evolved such as on prior approval
17 beyond where the teams that looked at that issue in top to
18 bottom was. So you got to -- those take precedence.

19 MR. HODGES: One final comment is you've done a substantial
20 amount of work on this regulatory review already, obviously,
21 because you've at least categorized the regs by the need to
22 revise 70 percent and 60 percent are going to be -- or six
23 percent is going to be eliminated and so forth.

24 You've also submitted information to the Vice President's
25 regulatory reform. It seems -- it would seem to me that that
26 kind of information that you've already preliminarily done

1 would be very useful to this group in terms of providing some
2 framework, specifics, and so forth for a more informed kind of
3 discussion about this whole issue of regulatory review.

4 We could put some meat on the bones without talking in some
5 of the generalities. One, is that possible, and secondly, I
6 assume that since we've all characterized the near term
7 mandates as command and control, that those also be included in
8 the regulatory review for elimination.

9 MR. TAYLOR: Well, on the latter point they are. I mean
10 that's the whole -- not, not elimination. In some cases
11 elimination, yes, that's command and control, I mean shifting
12 to performance standards on those.

13 I mean on the issue of some opportunity for further -- for
14 dialogue like this based on the identification of candidates
15 for review or repeal, I mean, I'm personally extremely
16 receptive to that. I think if in fact, you know, if we could
17 get this group together again in October or whenever would be
18 appropriate to focus in particular on that ANPR and the way we
19 laid those issues out and the -- the things we identified. I
20 mean I think that from our standpoint would be just very
21 valuable.

22 MR. HODGES: Thank you.

23 MR. TAYLOR: And I think in fact -- I mean, given the fact
24 that we have got a lot of work to do in a very compact time
25 period. I mean, that would make it the more important I think
26 to have a chance post ANPR but, you know, before the next rule

1 making steps to sit down.

2 MR. BILLY: Joe?

3 MR. POCIUS: Thank you. Hello? Okay. Thank you, Mr.
4 Billy. I want to bring up a point that I originally brought up
5 very early in the spring at one of the public hearings, and you
6 graciously suggested that we should put it in our comments, and
7 we did, and I want to bring it up one more time.

8 When we're talking about performance standards, we go through
9 -- through a process authority or otherwise. We develop our
10 CCPs. We establish our critical limits based on food safety.
11 However, product quality market demands -- let's take for
12 instance the food safety temperature would be 160 minimum
13 instantaneous internal, but the product quality demands 175
14 degrees for a roasted look or -- or whatever. Okay.

15 The proposal states that inspectors will enforce at the
16 higher temperature even though that's not a food safety issue.
17 I want to make certain, and it's really not a question, I'm --
18 I'm suggesting that if it has not been changed that we do
19 change this, that the HACCP program enforcement is at the food
20 safety critical control limit, period.

21 And as long as we're -- we're talking about this issue now I
22 can -- I've really -- I've waited all day to -- to get into
23 some of the details on this and -- and I'd like to suggest that
24 if that has not been done, that we do consider that because
25 otherwise you're going to be failing perfectly safe products if
26 -- if we're -- if a HACCP critical control limit is enforced at

1 175. You fail it. You have problems in revalidation. You got
2 a tag product, control product. All the while that product is
3 perfectly safe for consumption.

4 The second thing -- I'm assuming that we were -- we're
5 talking about cooked product and, of course, that's the easy
6 way out of things -- I'm assuming that the lethalties we're
7 talking about are going to stay at current levels. So if it's
8 currently a 5-D kill as for roast beef, then anything else you
9 develop for roast beef or turkey or whatever is based on a
10 current lethality.

11 Now, how are we going to do this in raw product, and how
12 should we interpret a performance standard for raw product?

13 MS. STOLFA: I think that's what we're going to talk about
14 tomorrow -- that's what -- that strikes me as the conversation
15 tomorrow and that what we were talking about in the way of --

16 MR. POCIUS: Couldn't bait you into that one, huh?

17 MS. STOLFA: Not today.

18 MR. BILLY: I think we do need a discussion about the 175
19 example that you -- you mentioned. Yeah. Bill?

20 MR. SMITH: Yes. Exactly what you said that -- that we're
21 going to be making our food safety decisions on the food
22 safety, the critical limit, and what we are hoping is that the
23 HACCP plan that will identify the food safety limit as the
24 critical limit and not the quality standard. The quality
25 standard becomes a control point.

26 So -- so we're hoping that's differentiated, and then if it's

1 not differentiated -- well, then -- absolutely. We need to
2 have the critical limit that you're going to use for that
3 product so -- so you need to make that decision I think when --
4 when you're setting up your HACCP plan -- that if you don't
5 differentiate, then we are going to have to enforce what's
6 given to us which is the higher limit because there's no
7 differentiation made.

8 What we would expect is we would see -- I don't know, 160 for
9 the -- for the critical limit and 175 if you want to use for a
10 -- a control point that that's what -- but it would be
11 differentiated and -- and hopefully, the critical limit in the
12 HACCP plan will identify the temperature you want to use for
13 the food safety.

14 MR. BILLY: Okay.

15 MR. TAYLOR: And that's what we would enforce?

16 MR. SMITH: Yes.

17 MR. BILLY: Dane?

18 MR. BERNARD: Thank you, Tom. Dane Bernard, National Food
19 Processors Association. We had this discussion a few months
20 ago, not that it was in a formal context, but that is -- is
21 going to be a very tough situation. I can guarantee you the
22 first day that you go out there, you're going to find people
23 who put their critical limit as their operating parameters.

24 We find this all the time, and if you go tagging up company
25 A's product, which is just as safe as company B's, and company
26 B is in business in shipping, I would think that that would be

1 a -- a legal situation you wouldn't want to get into.

2 Now, you've got office of general counsel here. Maybe you
3 ought to ask them what their opinion is. You know, you're
4 going to have people putting things into these plans because
5 the knowledge of what a good HACCP plan is not yet universal,
6 and I think we're quite a bit of ways away from that. You're
7 going to find these situations all over the place and I think
8 that -- that the regulatory limit should be the safety limit,
9 and that should be your enforcement limit.

10 If somebody is not educated enough in what goes into a HACCP
11 plan, and they put 175 because that's what they cook to because
12 they like the quality at that, and they put it in there and
13 they cook one day at 174, the product is safe. You've got to
14 look at it in that aspect, and that's going to happen over and
15 over, and I think it's a very difficult situation. People are
16 going to have to understand that. Thank you.

17 MR. TAYLOR: Dane -- Dane, let me just respond to that. I
18 mean, I can -- I can picture the situation that you've
19 described actually happening, and we would have to deal with
20 that in sort of some practical way if we confront a plant, you
21 know, operator who has in fact developed a HACCP plan that
22 incorporates not real safety critical limits but some kind of
23 quality limit and -- and presumably, that could get sorted out
24 on the -- you know, in some practical quick way.

25 But be clear that HACCP is about the plant taking
26 responsibility for designing a safety system, and there is a

1 training burden on industry just as is a training burden on our
2 inspectors and part of it will be. And trade associations like
3 yours will no doubt play a role in ensuring that -- that people
4 are training to write HACCP plans that embody critical limits
5 in the safety sense of the term.

6 But I mean, I think this is not an insurmountable problem on
7 either side but the -- but HACCP is going to delineate some
8 responsibilities for plants that they will have to meet to
9 write plans that incorporate safety limits.

10 MR. BERNARD: I -- I agree, you know, it's not an
11 insurmountable problem, but we see this over and over. It is a
12 problem that you should anticipate and that you should have
13 some resolution for, and I think that the solution of reacting
14 to that situation as if it were a safety hazard is the wrong
15 way to go. Absolutely wrong.

16 MR. TAYLOR: It's not a -- right.

17 MR. BERNARD: If the plan is --

18 MR. TAYLOR: It's not a --

19 MR. BERNARD: If the plan is flawed because you put the
20 wrong critical limit in there, and I think when we met earlier
21 I used a rather crude term for it -- for describing how that
22 got there, but I won't use that in this on the record meeting -
23 -

24 MR. TAYLOR: Tom -- Tom instructs me that this is an issue
25 that we will address in the preamble to the final ruling. It
26 clearly needs to be -- we need to lay this out and explain how

1 we would handle it.

2 MR. BERNARD: It's -- it is going to happen. It's going to
3 happen I don't know the temperature it's going to happen with
4 every critical limit if that means anything in terms of safety.
5 You're going to find operating limits not critical limits in
6 probably the first generation of HACCP plans that you run
7 across out there. So you know, be forewarned.

8 MR. BILLY: Katie? Is this on this point? Yeah.

9 MS. HANIGAN: Katie Hanigan with Farmland Foods. Katie
10 Hanigan with Farmland Foods. Question for Pat. Since we're
11 going to look for in the example you gave us -- 70 reduction, I
12 am assuming that we would not be monitoring our first three
13 production lots. Would we not need three inoculated studies?
14 Just for clarification.

15 MS. STOLFA: The -- we expect the processing authority to --
16 to do all of the work that should be done outside of plant
17 before the -- as the process schedule is being developed, and
18 when the process schedule is in -- in view of both the
19 processing authority and the client company completed, that's
20 the time to move to the in-plant trials which essentially
21 demonstrate that the alternative approach meets the performance
22 standard.

23 MS. HANIGAN: So would it need to be an inoculated study in
24 the plant?

25 MS. STOLFA: I don't think we've specified that in here.
26 I'd -- I'd have to look exactly at what -- at what we say in

1 that regard.

2 MS. HANIGAN: Well, if you're talking a seven log reduction
3 in some of the stuff though --

4 MR. BILLY: Okay.

5 MR. EMERLING: Stan Emerling. Just as a follow up. Do you
6 have any idea what that lot size is going to be when you sit
7 three runs? I mean --

8 MS. STOLFA: No, we didn't specify that.

9 MR. EMERLING: So, it could be 50 pounds?

10 MS. STOLFA: Pardon?

11 MR. EMERLING: It could be 50 pounds?

12 MS. STOLFA: We didn't specify that.

13 MR. BILLY: I think it would be --

14 MS. STOLFA: It was a normal production run is -- is I
15 believe how we talked about it.

16 MR. EMERLING: Okay, well --

17 MR. BILLY: Mike?

18 MR. DONOVAN: Yeah, Mike Donovan again. My question comes
19 back to just a clarification here is -- we had somebody ask
20 about a temperature higher than the one before. Let's take
21 ham, for instance. If you're meeting 145, you meet a
22 temperature, but if you're saying baked ham, now you're into a
23 different -- baked ham has to hit a 170 even though that isn't
24 a health issue.

25 Does the inspector tag that product if it doesn't meet the
26 170 in baked ham, and the baked ham regulation doesn't get

1 knocked out on the standards issue?

2 MR. SMITH: The inspector -- inspectors will still be -- at
3 least for start up, will -- will still be verifying label
4 accuracy. I would see that as a label accuracy check probably
5 not near of the frequency that -- certainly not at the
6 frequency that -- that the HACCP food safety checks would be
7 done but if -- but, you know, if a 170 is what is driving the
8 baking label and they're labeling as baked ham, we would be at
9 some frequency looking at that.

10 MR. DONOVAN: So they would tie up that product if -- if
11 they had taken the check and in that frequency found that it
12 was not meeting that, is that correct? Well, that's what I
13 think I'm hearing you say, right?

14 MR. SMITH: I'm saying they would take their action under
15 PBIS now, which is that they would classify a deficiency, and
16 if -- and if that deficiency results in an official control
17 action, they would do that.

18 MR. DONOVAN: Okay. Another question to follow up on Pat --
19 on what she was saying about performance standards, and one of
20 the things that you were going to -- one of the items that you
21 were going to do is those prescribed poultry products. And one
22 of those would be, I imagine then, cooked cured poultry such as
23 chicken franks that you have 155 degree. Would we include meat
24 franks with the same prescription when we're doing this reg
25 here because that's probably one thing that falls through the
26 line.

1 We do not have a temperature -- for like meat franks you
2 could have 50 percent poultry and meat. It depends on which
3 legend you put on the product. Are we going to cover those
4 particular things under this proposed rule?

5 MS. STOLFA: This proposed regulation deals with cooked
6 beef, cooked roast beef, cooked and partially cooked patties,
7 and some cooked and partially cooked poultry products --

8 MR. DONOVAN: Okay, I'm talking about the --

9 MS. STOLFA: -- not all of them.

10 MR. DONOVAN: No, I'm talking about the last ones though.

11 MS. STOLFA: Yeah, and I don't believe that those products
12 are covered, and I can give you the exact citations. It's not
13 my understanding that those products are included. As I --
14 what I explained before is basically we -- we expect that the
15 underlying scientific work is completed by the company and the
16 processing authority prior to the completion of the alternative
17 process schedule.

18 And then what goes on in the plant is a production -- three
19 production runs following the -- the process schedule which has
20 not been grabbed out of the sky but has been developed by the
21 company and the processing authority, and the three production
22 runs need to be sampled just to make sure that they are meeting
23 the performance standard.

24 And essentially for fully cooked products, and I -- I really
25 haven't talked about partially cooked patties and partially
26 cooked poultry because that's a little more complicated, but --

1 but for the fully cooked products, what we are expecting is no
2 pathogens in the finished product, and so that's what the in-
3 plant sampling would -- would be designed to demonstrate.

4 That when we follow this schedule that the processing
5 authority and we have developed together, when we follow it, it
6 works.

7 MR. EMERLING: Pat, all I want to know is the definition of
8 processing authority. Does it -- does it mean any reputable or
9 --

10 MS. STOLFA: We are not proposing a specific definition of
11 processing authority in this regulation.

12 MR. EMERLING: But you can't tell us what it is now?

13 MS. STOLFA: It's -- it has several features. It's -- it's
14 basically that it's -- it's a -- a company that has the
15 necessary qualifications in terms of their ability to -- to
16 perform the -- the underlying scientific work which would
17 support an alternative approach, and so it's kind of a
18 performance type of -- of definition of processing authority.

19 We -- we don't prefer to get into the business of listing,
20 approving or disapproving processing authorities, so what we
21 tried to do was to capture the qualifications that -- that we
22 would expect. They need to have access to facilities --
23 laboratory facilities or other facilities that would be
24 necessary to carry out the work which would lead to a process
25 schedule which would be acceptable.

26 MR. BILLY: --

1 MS. STOLFA: Oh, I'm sorry. I didn't mean to say just
2 companies. I mean it could be academic institutions.
3 Generally, I view these as people who are in it as a business,
4 so it's a business -- it's -- it's not a -- I don't mean to
5 imply that it's some other meat processing company. It's --
6 it's more likely to -- to be -- oh, maybe a consulting group,
7 but no, it's a business so I presume that it would have that
8 status.

9 MR. BILLY: I saw another name plate. Jim was it?

10 MR. MARSDEN: Yes. I have some just general comments on the
11 coordination of the regulatory reform initiatives with the
12 implementation of HACCP.

13 MR. BILLY: Jim, Joe's not finished so --

14 MR. MARSDEN: Oh, sure I'll --

15 MR. BILLY: -- if there are more general --

16 MR. POCIUS: Let me just finish up quickly. While we're
17 still talking on the performance standards. Pat, I think we
18 all have an idea of what you meant by the three pieces of a
19 standard lethality. We just talked about stabilization and
20 handling.

21 Would I make an assumption on stabilization, that would be
22 the cooling -- similar to the cooling directive, and how were
23 you going to put -- how is that -- are you looking for
24 equivalent? I mean, I'm not quite sure how you do equivalency
25 here, and on top of that, the handling, if you'd give us an
26 example of what you -- what you have in mind for handling?

1 MS. STOLFA: The stabilization standard expressed as a
2 performance standard is basically there can be no
3 multiplication of toxigenic microorganisms such as clostridium,
4 botchilinum, and no more than a one decimal log multiplication
5 of clostridium profringens within the product. That's the
6 performance standard.

7 Now, that's what underlies detailed time/temperature
8 requirements that are in -- in our directives in -- in certain
9 instances. There's a cooling directive --

10 MR. POCIUS: Right.

11 MS. STOLFA: -- that embodies that particular general
12 standard.

13 MR. POCIUS: Okay.

14 MS. STOLFA: This is the standard.

15 MR. POCIUS: All right. So I guess that's what I was
16 getting at. There will be something for process authority to
17 bench mark when they go after this.

18 MS. STOLFA: Yes.

19 MR. POCIUS: Okay.

20 MS. STOLFA: Yes.

21 MR. POCIUS: Thank you.

22 MR. MARSDEN: Okay, Jim Marsden with Kansas State
23 University. In terms of coordination of this regulatory reform
24 initiative and the implementation of HACCP and also relating
25 back to several of the things that have been discussed
26 throughout the day, going back to the HACCP round table and

1 some previous meetings that we had on HACCP, we laid forward
2 what I thought was some -- some pretty good ground rules in --
3 in terms of the way that the HACCP plan should be developed,
4 validated, and so on, and -- and I recall three principles that
5 I -- I think we got consensus on.

6 The first was that the HACCP plan should be properly
7 developed and complete, should address all seven HACCP
8 principles, and I think everybody's clear on that. Also
9 secondly, validated to assure that the critical limits in the
10 process adequately control food safety hazards. There's been
11 some probably misuse of the term validation throughout the day
12 but it -- it specifically addresses the -- the effectiveness of
13 the HACCP plan in controlling hazards.

14 And third, and this is an important one, the HACCP plan
15 should reflect the capability of the process in the individual
16 establishment where it would be applied. And there was a lot
17 of discussion about the need that the HACCP plan be plant,
18 product, and process specific.

19 Now, another thing that came up but was not resolved was the
20 issue of prior approval for the HACCP plan, and a lot of the
21 things that we're discussing right now relate back to that
22 because if the HACCP plan is not approved or does not receive
23 some previous approval either from FSIS or from a process
24 authority, then that plant is always in jeopardy.

25 There's no comfort level because a local inspector can make
26 arbitrary decisions about the inadequacy of that HACCP plan and

1 effectively shut the plant down. And there needs to be at --
2 at a minimum, a dispute resolution process -- Dane referred to
3 that earlier this morning -- that's very well defined and --
4 and allows the plant to -- to act quickly to address those
5 types of issues.

6 But even better than that in my view, and I -- and I think
7 there was a lot of support for this concept at -- at previous
8 HACCP meetings and HACCP round table, was the idea of having
9 the principle plant manager, the -- the inspector, the process
10 authority, all sign off on that HACCP plan so there's some
11 prior agreement that that HACCP plan is -- is appropriate, and
12 that takes away virtually all the discussion that we've had
13 over the last hour or so about mistakes and defining critical
14 limits, and so on.

15 Without that, I fear that we're going to have a lot of
16 confusion during the first months or years as we go forward
17 with the implementation of HACCP.

18 The same issues also apply to some of the things that are in
19 the regulatory reform initiative, especially the -- regarding
20 blueprints, equipment approvals, specifications, and so on. If
21 the agency just abandons those functions, and there is nothing
22 else to take it's place, the level of comfort that a plan
23 operates in disappears.

24 Alan Oser mentioned earlier this morning that, you know, you
25 make a \$10 million investment in a plant, and you'd like to
26 have some pretty good assurance that what you're doing is

1 right, that someone doesn't come in at some other point, you
2 know, a year down the road, and say they don't like the slope
3 of the floor, or they want a drain put someplace else or
4 something along those lines.

5 The inspectors are there in that plant every day. They have
6 the authority to take action on that plant based on those
7 things. They can say that a piece of equipment is not
8 acceptable, or that the plant facility is not acceptable, and
9 so on, without any kind of a prior approval from the agency or
10 from some third party certification body of some kind.

11 I don't see how a plant can operate with any level of comfort
12 that what they're doing is going to be acceptable to the local
13 inspector, and I firmly believe that these types of issues need
14 to be fully addressed in the final regulation. Otherwise I
15 think we're heading for some real problems as we go forward
16 with the implementation.

17 MR. BILLY: I have a suggestion at this point. Why don't we
18 take a 15 minute break, but when we come back why don't we have
19 a -- a discussion about this very question of prior approval
20 and get input in terms of how people feel about that. Okay.
21 About 15 minutes.

22 (Whereupon a break was taken from 4:00 p.m. until 4:28 p.m.)

23 MR. BILLY: I would like to get started again. We were
24 talking about a point brought up by Jim with regard to the need
25 for prior approval of HACCP plans or programs in plants and
26 felt that it was worthwhile to have some reaction to that idea,

1 so I'd like to open it up for that. Rosemary?

2 MS. MUCKLOW: When Ralph went through this backgrounder, he
3 talked about what we've all heard stories about which was that
4 you were going to eliminate the prior approval requirements for
5 establishment drawings and specs, and equipment and certain PQC
6 programs, et cetera.

7 The narrative in the backgrounder which I've underlined says
8 halfway down that paragraph, that you're going to do that, and
9 then it says FSIS would continue to hold meat and poultry
10 plants accountable for meeting regulatory requirements.

11 I'm not sure if that means that you're going to codify
12 everything that we know in the handbook 670 or the small meat
13 plant handbook or triple the size or whatever of those
14 handbooks so that everybody would know what every joint is
15 going to look like on the plumbing system or the roof or the
16 drains or whatever it may be.

17 I think it would be an impossible task for the agency to try
18 to define every possible -- every possible thing now or for the
19 future on both plants, especially with the changes that we're
20 instituting. You know, I know plants that don't have space on
21 the line to put in a wash system in a kill plant because nobody
22 thought of those systems, the new technology and so on. If
23 they're building a plant today, they build an extra mile on it
24 so they can do that, but the older plants don't have it.

25 I mean, it's unfathomable. You just can't even begin to plan
26 for the future. And so I've thought about this some, and it

1 would seem to me that one of the things that you might want to
2 consider as you look at this prior approval issue, and you
3 think about it for an ANPR, is to provide a cost recovery
4 system within USDA within FSIS, that you would on request, and
5 probably more efficiently in terms of time turnaround now and
6 so on, provide a vehicle both for equipment and for blueprints,
7 that companies could submit those requests whether it's their
8 new dicing machine or their -- that somebody's made or whether
9 it's a new facility, and based upon a -- a cost recovery
10 system, that staff could be funded to review and approve it.

11 Not everybody would need to use that. Those who have
12 engineers on their staff or very qualified people or equipment
13 people who believe that their equipment meet standards next to
14 God might figure that they don't need to use that system, but
15 for many firms that would like the confidence structure, they
16 could get a seal of approval that that piece of equipment or
17 their blueprints on such a date met the requirements.

18 What really screws us up in the blueprint approval is that
19 somebody needs to submit pasters when they build a vestibule on
20 a door or when they move a patty machine from the west side of
21 room A to the east side of room A or do crazy things like that.
22 We -- we have a lot of nit-picking over blueprints, but
23 fundamental blueprints on design construction to meet certain
24 standards rather than to -- to developing a whole new industry
25 out there, the agency itself may be able to provide this kind
26 of a service on a cost recovery basis, and I would suggest that

1 you give that some consideration.

2 MR. BILLY: Now, are there other views on prior approval
3 particularly with regard to Jim's comment regarding the notion
4 of prior approval of HACCP plans? Katie?

5 MS. HANIGAN: I think Jim's going to make the comment.

6 MR. BILLY: Okay. Does he have your proxy? Is that what
7 we're --

8 MR. HODGES: We're just -- we had prior discussion. It's my
9 opinion, and I think some others, that the variability of
10 approval with inspector in charges and what their expectations
11 are even after training, even after extensive training, will be
12 quite variable.

13 I think that the way I would envision particularly would be
14 to have product category sub-groups formed where, for example,
15 if I want to send my pork, fresh pork slaughter and fresh pork
16 processing HACCP to a group that was trained in analyzing
17 those, my fresh beef to a group that was trained in analyzing
18 those, and then specific processed products. I think you're
19 going to need to form a variety of groups that become your
20 product HACCP experts.

21 I think the IICs will try. I think they'll be second
22 guessing themselves on how far should they go because they do
23 that today, and they're going to either have to have very
24 extensive training manuals in writing for them to evaluate it
25 or go to the -- or go to specific product area process
26 authorities within the agency that we can send HACCP programs.

1 The other alternative is to have groups who travel and go
2 around and evaluate the written program as well as the
3 implementation of it. The -- the biggest concern I have is the
4 variability, and I think having a centralized group, product
5 specific, that we can send programs to, to review and get
6 feedback, and that group could start if you have an 18 or a 30
7 month or whatever it is, that -- those groups can be organized
8 fairly quick so that you can send your programs in. I would
9 guess that it -- somewhere between 50 to 80 percent of -- of
10 the plants have some program written.

11 We do have customers who come in and review today and so
12 we're reasonably confident. Now, the question is -- that our
13 programs at least meet their expectations, and so there's going
14 to have to be some correlation and discussion. It -- but the
15 other thing is when we do modify them, at least in our HACCP
16 programs, I consider them to be very dynamic because if we
17 change a process or come up with a new process -- or a new
18 method, that changes the process flow.

19 And so they need to be updated on a continual basis, and if
20 you're not doing that, you're not really letting them evolve.
21 So there will be some conflict there that the HACCP program is
22 changed, and we're going to have to make some accommodation for
23 that. So once approved, if it's updated, it will need to be
24 updated maybe quarterly, to six months, to annually, that it
25 should be then resubmitted, but the resubmission process cannot
26 be a major delay.

1 It is very frustrating today for me to send in a standard PQC
2 program through five different areas, and I don't know how many
3 different circuits and three different regions, and have them
4 anything from perfect and complimented on thoughtfulness to
5 condemned for being inadequate, and they are identical
6 programs. That's today. So HACCP's going to be a little --
7 even more challenging.

8 MR. BILLY: Joe on my left and Joe on my right --

9 MR. MARSDEN: I guess I have a bit of a question. I'm not
10 clear what the -- what the inspector with the IIC -- what would
11 their role be? I mean as I understand it if I'm taking
12 responsibility, I mean if -- if you're saying, Joe, you have
13 the responsibility now for food safety. I mean we're all clear
14 that I already have that firm belief anyway. But -- but you're
15 saying now, Joe, you have the responsibility now for food
16 safety, and we are requiring you to put together a HACCP
17 program to -- that -- that you're going to take -- that you're
18 going to be responsible for to guarantee the food safety.

19 I'm not sure what exactly the inspector has to do with
20 whether that plan's okay or not. Or is the inspector still
21 going to be responsible for the food safety to the extent that
22 he's going to view the HACCP program as to whether it does or
23 doesn't guarantee food safety.

24 Am I going to be responsible for it or is the IIC? I'm not -
25 - I'm not, you know, this whole thing philosophically I am
26 having a real big problem with in general. I don't understand

1 why the IIC has anything to say about it if -- if -- if the
2 philosophically, some people operate their plants don't feel
3 like they're responsible for the food safety, and so that HACCP
4 plans will then correct that.

5 So what does the IIC have to do with any of this? And --
6 and I say that with a qualification that -- that I want prior
7 approval because I know the inspector if he's still there. You
8 know, the same thing, I get a new guy every six months. I even
9 get a relief guy for a week who theoretically you would think,
10 you know, they would just kind of come in and do their -- go
11 through their paces for the week and leave the guy -- their
12 normal IIC alone and that's not the case. I mean this guy
13 comes in with his whole new set of personal problems and
14 absolutely wrecks, you know, geez, I can't believe you guys are
15 operating and -- and, you know, everything's going fine. It's
16 -- its's you can't imagine -- it's -- it's very true.

17 So what I say is, is what is -- if we're doing this thing as
18 -- as it's being said, what -- what does the IIC have to do
19 with any of this? But then, you know, in the next breath, we
20 say well the IIC is -- you know, has the ability to do all of
21 these other things, so I'm not clear what the role of the IIC
22 is in this whole mess.

23 MS. MUCKLOW: Tom, can we all say a prayer for Joe with his
24 IICs that --

25 MR. MARSDEN: I -- I -- rest assured I tell my IIC these
26 same things. I'm -- the IICs I get are very clear how I feel

1 about everything.

2 MR. SMITH: Let -- let me address -- the role again that --
3 that we've laid out these last two days is that the inspector
4 would -- I'm getting nervous about the word but -- validate --
5 would -- there would be an activity where the inspector would
6 ensure that the seven principles have been carried out.

7 Food safety is the responsibility of the plant. We -- so we
8 need to be that clear -- clear up front because HACCP is --

9 MR. MARSDEN: Well, is the inspector going to do that or am
10 I going to do that?

11 MR. SMITH: You're going to carry out the seven steps as
12 proposed. That establishes the food safety for that plant.
13 Then the inspector's role, and that's any inspector once we
14 have that plan that's valid, and then how you're going to carry
15 out that program. No matter what inspector comes in there, if
16 you're following your plan then -- then all he is doing is
17 verifying that you're doing that. He or she is --

18 MR. MARSDEN: Even if he doesn't like that plan, that's
19 tough. It's -- it's -- in the plant --

20 MR. SMITH: If the seven principles have been met, two
21 things are going to happen. If the inspector has a question,
22 then again, as I said earlier, we want them to provide the
23 mechanism for them to get that question answered quickly
24 through science and technology. That's what we'll be training.
25 They're the experts.

26 Now -- now you have to use common sense here like I said

1 before. If somebody has 110 degree cooking temperature for
2 frankfurters, I don't think the inspector needs to call science
3 and technology --

4 MR. MARSDEN: But I would like to think that --

5 MR. SMITH: On the other hand, on the --

6 MR. MARSDEN: -- the -- that the owner of the company would
7 not -- the -- that's not --

8 MR. SMITH: I agree, I agree -- but I mean I have to use
9 broad --

10 MR. MARSDEN: Okay. That's fine.

11 MR. SMITH: -- because -- but common sense has to come in
12 here. On the other hand, if the inspector has a question but
13 is not sure, he needs to get -- he or she needs to get on the
14 phone. They need to call the area office. The area office
15 needs to get a hold of science and technology, layout what the
16 concerns are, and get a reading there.

17 And then which could mean -- it doesn't mean at that moment
18 everything grinds to a halt either. It means that people are
19 now looking and questioning, and they may be getting back to
20 you to ask you questions, but it's going to be in the
21 scientific -- critical limits will be determined. Our
22 expertise for that is in science and technology. Those are the
23 people that I have to answer -- to answer those -- that we're
24 going to rely on for the expertise to make those
25 determinations.

26 Now, we are going to rely on our inspectors or -- or teams,

1 that go into the plant just as they do today, to -- if there's
2 a concern to raise that.

3 MR. MARSDEN: But it would --

4 MR. SMITH: But the food safety is definitely the
5 responsibility of the plant.

6 MR. MARSDEN: But it would not maybe be an immediate thing.
7 This would be something that would be up for discussion. This
8 isn't like a today, stop what you're doing thing. I mean this
9 is -- you would envision it would be something that would be,
10 hey, Joe, we have a problem with this one area. You know, we
11 need to -- we need to look at it, and in the meantime I could
12 continue to process because I am economically driven to just in
13 time processing --

14 MR. SMITH: Okay.

15 MR. MARSDEN: -- and inventory. I -- you know these -- I do
16 not have the wherewithal or the ability or financial capability
17 to produce product well in advance of my needs.

18 MR. SMITH: Again, the -- if the seven principles are
19 followed, and I think that's the important part, that we have
20 to get that -- you know --

21 MR. MARSDEN: Well, how much --

22 MR. SMITH: -- if they are followed though, we are not going
23 to go in there and stop the operation. If on the other hand,
24 though, in the scenario that we had a critical limit
25 established that is ridiculous and we can all agree it's
26 ridiculous, then the inspector in his food safety role would

1 take action at that point.

2 MR. MARSDEN: Well --

3 MR. SMITH: But again, it's all a degree, but if we don't
4 know, if we have a question, then the action is to find out, to
5 gather the -- again, we're talking about assessment now. We
6 need to get into this -- and I think our inspectors are
7 prepared to do this -- is to get into a system of analysis,
8 look at what we've got, and arrive at a conclusion, and if we
9 don't know, then -- and we need more information, we go get
10 that information, and we'll make that information available to
11 them.

12 MR. MARSDEN: Well, without trying to continue the
13 discussion, but I understand what you're saying, but -- so if
14 there's a -- if there's a ridiculous critical limit, here
15 you're suggesting that there may be some subjectivity in what a
16 critical limit is or isn't as to whether they do or -- there's
17 some subjectivity as to whether I am or am not following the
18 seven principles.

19 And you know, it's that whole subjective thing that I have
20 the bigger problem because I see the subjectivity that occurs
21 with my -- with the inspectors that I get in my plant, you know
22 --

23 MR. SMITH: If the seven principles are being carried out, I
24 mean --

25 MR. MARSDEN: Okay.

26 MR. SMITH: -- we could debate this all --

1 MR. MARSDEN: I agree.

2 MR. MARSDEN: -- but if you identify a kill step, you need
3 to have evidence that that is a kill step and that that works,
4 and so given that you have all that evidence to indicate that
5 that kill step is effective, then we're not going to go in
6 there and challenge that.

7 On the other hand, if you can't supply that evidence that
8 that kill step is effective, then we'll have some questions.

9 MR. MARSDEN: I suppose I still would prefer to have a prior
10 -- prior approval.

11 MR. TAYLOR: At the risk, Joe, of maybe being a little
12 redundant, I think this issue you've raised is probably the
13 most important single philosophical issue in the whole
14 initiative, in the whole discussion. Who's responsible for
15 what? What is your responsibility as the plant operator? What
16 is the responsibility of the IIC or other members of this
17 agency?

18 And we have a very clear conception that we're trying to
19 convey here, and Bill said it really. The plant operator is
20 responsible for food safety. He's responsible for the safety
21 of the food that comes out of that plant. The IIC and other
22 members of the inspection team are responsible for holding you
23 accountable for meeting your food safety responsibilities.

24 Everything we do is not about our taking responsibility for
25 food safety, but we're playing the oversight role to hold you
26 accountable for meeting your responsibility. When it comes to

1 validation -- again, I think this is a point that came through
2 a little bit earlier -- HACCP, the seven principles of HACCP,
3 make the plant responsible for validating the HACCP plan.

4 So it's not that the responsibility of the inspector to
5 validate the HACCP plan. It's to verify that you've validated
6 the HACCP plan, and I think the thrust of this is going to be
7 over time, and I understand that the questions you're raising
8 are just so, you know, appropriate and understandable.

9 I personally believe we're moving towards a regime that is
10 more objective, less subjective than the current regime in
11 terms of the judgments that the inspectors will be making
12 because if you're talking about checking to see whether a plant
13 has validated a critical control point in a HACCP plan, and
14 there are scientific data and studies that -- that are there,
15 that provide a basis for validating that, that's a -- that is a
16 considerably, you know, more solid and objective basis upon
17 which to -- an inspector to make a judgment than the kind of
18 subjectivity that goes into deciding today we're going to worry
19 about, you know, this drip or that drip.

20 I mean, so again, over time, I think we're moving to more
21 objectivity in the program and not less, but I just wanted to
22 emphasize the point that everything we do is about overseeing
23 what you do in carrying out your food safety responsibility.
24 That's the concept.

25 MR. MARSDEN: Well, you know, the fact is as I have -- I
26 have reason to have FDA. I have a sandwich operation. I own a

1 bakery as well. I have a bakery in my plant, and we assemble
2 sandwiches. So you know, I understand that. I understand what
3 it's like to be in FDA. They come around nearly never, and I
4 have -- once again --

5 MR. TAYLOR: You like that. You like that --

6 MR. MARSDEN: Oh, I like the USDA guys there every day.
7 They do a good job for me. They help me out. I have -- but
8 the issue is that, you know, in this free market economy, you
9 know, that -- that's what drives me to produce a safe product -
10 -

11 MR. TAYLOR: Right.

12 MR. MARSDEN: -- because otherwise I have -- I'm not in
13 business. Like I said, it's the whole philosophy. As far as
14 I'm concerned, today I'm responsible for food safety. I will -
15 - you know, if or when HACCP, you know, would become a
16 regulation, I would be required to follow that regulation, and
17 I would be producing paperwork for an inspector.

18 As far as I'm concerned, everybody already has HACCP in their
19 plants to whatever degree or another they do. I know that I do
20 in mine. I certainly have analyzed, you know, any places where
21 there could be contamination or problems. You know, I've set
22 up things, controls, procedures whereby to avoid having any
23 problems in those areas, and I expect that my employees will
24 strictly follow them.

25 It's just from that point on, this regulation will then --
26 you know, will -- will -- it will just cost me money. It will

1 cost me money in producing and managing paperwork in the
2 processes that I have. So it's just a point.

3 MR. TAYLOR: The other thing that your HACCP plan will do is
4 focus our inspectional effort, and what my prediction is that
5 once you implement HACCP, you will find that our inspectional
6 activities are focused much more on things that you would think
7 are a worthy focus of our attention than perhaps occasionally
8 happens today.

9 MR. MARSDEN: Well, I can appreciate that, but you
10 understand since I -- what -- I believe I have a good plan --

11 MR. TAYLOR: I understand.

12 MR. MARSDEN: -- so then I have no problems with inspectors.
13 I simply don't. I mean, any time they have -- you know, I
14 think the other day, we had to sweep up around the dumpster or
15 something. You know, it's all those kind of things that, you
16 know, he looks around. He can't find nothing else, so he comes
17 up with that.

18 MR. BILLY: I'm going to move on.

19 MR. MARSDEN: Yeah, I'm sorry.

20 MR. BILLY: Joe.

21 MR. POCIUS: Joe Pocius with the National Turkey Federation.
22 I'm going to revert back to the original question of
23 preapproval, and I might end up repeating a lot of what Bill
24 Smith said, but I'm going to have to use the V word.

25 The way we look at this, there should be no formal
26 preapproval process for all the reasons that John Lochner said.

1 The original program or any changes or modifications to it as
2 your process changes, you may have to -- you will have to
3 change your HACCP program to meet your process, and you may
4 have to go -- go through a revalidation.

5 Now, here's where we -- we didn't really talk too much about
6 this. It was mentioned, but the way the NTS position has been
7 laid out is that we would have a formal appeals board, a HACCP
8 program appeals board.

9 So rather than as Bill is saying then, that would go to
10 science and technology or wherever, the appeals board should
11 work like this. If the validity of a plan is challenged, then
12 it goes directly to the appeals board, and this also stays --
13 it keeps the continuity and the expertise that Jim had
14 mentioned earlier.

15 Now, if the board finds no basis for the challenge, that
16 decision is communicated directly to the company and the FSIS
17 in-plant HACCP trained personnel by the board, not through any
18 other junction, and that way it's expedited.

19 If the board upholds the challenge, then it decides where the
20 modification needs to be made. Now, per the proposal, when
21 these -- as it was published, when these challenges were made,
22 it was the opportunity there for health critical issues to be
23 considered. If that's the case, then there was the proposal
24 for sampling.

25 The board should make that decision, not the in-plant IIC or
26 anyone else. The board should make that decision. Once the

1 decision is made by the board, then at that also gets
2 communicated immediately and directly to the company and to the
3 FSIS in-plant HACCP trained personnel. Then the modifications
4 can be made. Then the validation process takes place, and the
5 issue is over basically.

6 Now, that's not much different from what Bill Smith said, but
7 it formalizes the whole thing a little bit more so that you
8 know where to go, how to go, who you're talking to. You're
9 talking to the same people all the time. They're doing the
10 same thing for everybody around the country.

11 You might have one board for turkey and broilers. You might
12 have one for pork and beef, but at least it remains the same,
13 and it can be used as an emergency response team if you'd like
14 in a manner that you have one right now, so they can be rapidly
15 pulled together.

16 But outside of that, your validation process is the approval
17 process. Any other preapproval system really doesn't belong.

18 MR. BILLY: Dane.

19 MR. BERNARD: Withdraw.

20 MR. BILLY: Ron.

21 MR. PRUCHA: Yes. I -- Ron Prucha. I guess too agree that
22 a preapproval process as such should not be necessary, but I do
23 again feel strongly that there must be some guidance given to
24 the agency and particularly the IIC inspectors as to what an
25 approval or validation -- to give them areas to cover when one
26 is validated.

1 Another area though that I am really concerned about is the
2 area of dispute at the plant level. They are not as you're
3 well aware rare. They happen every day, and under inspection
4 as it is practiced today, if there is a dispute from plant
5 management with inspection, it generally will end up in a shut
6 down department or product retained or stop the line or
7 production until that dispute is resolved at some level.

8 Frequently, this is very time consuming, and I think under a
9 HACCP proposal or a HACCP implementation, that cannot allow --
10 be allowed to continue in that manner. It is very important -
11 - and particularly with your proposed shortened lines of
12 supervision and control that your supervisors -- after the top
13 to bottom structure is implemented are probably going to be as
14 I see it more spread out, covering more territory, more plants,
15 be less available to plants for appeals and resolution that
16 some other very quick method of resolution be -- you know, be
17 put in its place whether it's a board as Joe proposes or
18 something else.

19 But I think the quick response and not this, you know, age-
20 old thing of stopping production and shut the line off, and
21 retain tons of product and do that. We've got to come up with
22 something -- you know, something better than that.

23 MR. BILLY: Caroline.

24 MS. SMITH DEWAAL: Caroline Smith Dewaal with the Center for
25 Science in the Public Interest. First of all, I'd just like to
26 say that I understood Jim Lochner to support prior approval,

1 and I think he -- I've confirmed with him that that's in fact
2 what he did.

3 So second of all, I'm not going to repeat what's in our
4 comments other than simply to say that we did -- we have long
5 supported prior approval of HACCP plans on the basis of
6 increased consumer confidence. Nonetheless, knowing the
7 current administration's reluctance to get into that issue,
8 we've offered numerous alternatives, and I think the best of
9 which is teams of -- teams of experts in specific processing
10 areas or based on specific products that would travel around
11 the country and attempt to look at HACCP plans offered advice
12 and provide some kind of uniformity to the process of HACCP
13 plan review.

14 This is particularly important for start-up purposes as HACCP
15 is just really being introduced into the industry, and a lot of
16 people aren't going to know what to do other than get a generic
17 plan and stick it in a file folder in the drawer. You know,
18 there's got to be more of an education process.

19 Lastly, I hate to do this again, but Bill -- commenting on
20 something Bill Smith said. Following HACCP principles is not
21 enough. It is not enough just to go in and say, did they
22 comply with the seven HACCP principles. They're very general.
23 They don't -- they can comply, and they can be dead wrong about
24 the hazards, about the critical control points, about what the
25 critical limits are.

26 So I really -- and I know I made this point before, but then

1 I hear you say something else, and we -- I am not comfortable
2 that we're at a similar understanding about what the
3 inspector's role is here. I mean, you really need judgment.
4 You need to be able to evaluate it, and if the inspector can't
5 do that, then this team needs to do it or someone else needs to
6 do it.

7 But your -- it doesn't give me confidence in the FSIS program
8 when you say things like, well, if they just follow the
9 principles, then we'll have a safer product because it's not
10 enough. They've got to be right about what they're doing.

11 MR. BILLY: Jack.

12 MR. HASLAM: Thank you, Mr. Billy -- working so far this
13 week. I'm Jack Haslam, the Veterinary Counsellor at the
14 Australian Embassy. We obviously have a critical interest in
15 these proceedings because it will be an obligation of my
16 organization in Australia to comply with the wishes of our
17 major American customer which is the FSIS in that they dictate
18 the contractual terms if you like of the specifications for the
19 product which we supply.

20 The question on the table is validation of the HACCP plan,
21 and if you'll just bear with me for a moment, I'll give you a
22 two-minute history of where we have come from in the last ten
23 years because I think it is germane to the debate that you are
24 having.

25 We by virtue of a national government policy had to look at
26 the issue of user fees ten years ago which did a great deal to

1 clarify an understanding between the functions which my agency
2 supplied to industry and the value that industry got from the
3 provision of those functions, and obviously, with financial
4 pressures on both ourselves and industry, we had to look at
5 what we were doing and how we were doing it.

6 Obviously, the -- and here one gets immersed in acronymology
7 if there is any such word, but in the general sense, quality
8 assurance was obviously perceived as the way to go, and I guess
9 will write a dictionary on all the terms that have been used.

10 We realized that to make required paradigm shift, we had to
11 retrain our staff. I have degrees in agriculture and
12 veterinary science, but I'm -- I'm not a systems engineer. I'm
13 certainly not a mechanical engineer, nor I suspect on occasions
14 have I been a very good personnel manager either, and I had
15 responsibilities for the whole of the west Australian region
16 for five years.

17 But what we initially tackled was a challenge to the
18 inspectorate to recognize the paradigm shift, and as a personal
19 experience, I had 180 or so inspectors. Their average age was
20 54. Most of those guys had served the agency extremely well
21 very honorably. What they did not really want to do was face
22 the challenge of going back to school to learn chemical,
23 mechanical engineering, food chemistry, food systems handling,
24 and so on.

25 But they had to do that if they were going to respond to the
26 challenges that we as an agency were facing. The resolution

1 there was to evolve a training package, and it's very pleasant
2 to see -- to see Dr. Russell Cross here because I know that he
3 has worked closely with us and aware of what we did on this,
4 but we ended up offering our staff a training package of a one-
5 year diploma course or a two-year certificate course which at
6 completion would have offered them a substantial change in
7 status, in salary, and in title.

8 And we now no longer call our staff meat inspectors. They
9 are food standards officers which -- with a much wider range of
10 skills than they had with the -- with the hold meat inspector
11 training course which we gave them.

12 That took us from about 1985 through to 1990. We had to get
13 the curriculum worked out. We had to get the course accredited
14 nationally with the appropriate educational authorities, so
15 that not only were those officers going to be of direct use to
16 the agency, but they also would have a qualification which we
17 hope will be attractive to industry and the wider community.

18 In other words, we are improving their own personal skills
19 and marketability, and I think that that has been very
20 successful.

21 In terms of where we were going in our relationship with
22 industry, we started direct quality assurance training with the
23 industry officers. I have been at various QA training courses
24 where people from diverse backgrounds as railway engineering,
25 power station management, and cement manufacturers being --
26 being present. The principles are the science, but the

1 diversity of activities, of course, makes the whole process
2 much more exciting and interesting.

3 We then looked at training our line staff because we felt
4 that they would not be able to themselves adjust to -- to the
5 ambitions of industry unless they understood what industry was
6 aiming for, and we then had about a two-year training program
7 where we got small -- eight to 16 people together, half our own
8 staff, half industry, people who had -- I think it is fair to
9 say been protagonists suddenly had to work together on project
10 work and so on, and again, it was very interesting to watch the
11 dynamics there.

12 So having gone through that process, we had taken a critical
13 look at how far we could push the system of -- let's call it
14 food inspection in the general sense, but obviously, food
15 safety is part of that. We also had a wider interest in
16 ensuring compliance with a whole raft of other conditions
17 imposed upon us by -- by foreign governments. They are
18 packaging requirements, labeling requirements, shipping mark
19 requirements, and so forth.

20 Those are all contained within our legislation to ensure easy
21 access of our exported products to foreign markets. The
22 companies were challenged to consider quality assurance systems
23 which also embraced, of course, the particulars of food safety
24 on the slaughter floor through the processing rooms, to
25 packaging, refrigeration, and so on.

26 The current -- and I would emphasize that we regard this as a

1 very dynamic process. This is essentially the third
2 generation. It's a document which I think I could put the
3 price up and sell it quite nicely as a sideline from the amount
4 of interest various people have shown in it, but we choose to
5 call the program MSQA which stands for meat safety quality
6 assurance, but be assured that it embodies quite specifically
7 the seven principles of HACCP, and the framework is absolutely
8 in line with the thinking of FSIS.

9 But to get to the point, the point in discussion is that in
10 developing an MSQA manual, there are five guidelines here which
11 I'd just like to point out to you for the benefit of this
12 discussion.

13 Point one is that the company should contact and discuss the
14 new system or proposed system with -- in other words, let us
15 know what you're doing. Tell us where you're coming from. We
16 believe that we are part of the process and that we probably --
17 in view of the schools and training that our own staff has have
18 got something to offer.

19 Establish a time table for developing the system, and we
20 recommend an incremental approach particularly to
21 implementation once the -- once the plan has been agreed to,
22 and that that is the critical point of this debate which is
23 that when all components of the MSQA has been described in the
24 MSQA manual to the satisfaction of the company and the local
25 supervisory staff, the ICC to use your term, we strongly
26 recommend that because the -- the food standards officer in

1 charge has personal knowledge of the plant, its activities, and
2 so on, that he has a great deal to offer to the company in
3 terms of helping them develop the manual.

4 But the manual at that point is then presented to the
5 regional or district veterinary officer in charge for -- we use
6 the word approval, but in this debate, we mean validation, and
7 the process is will it work in your plant? Have you written
8 something that you think would be -- would please, you know,
9 bureaucrats sitting in office in Perth with the lovely view of
10 the river I had or is that actually what you do?

11 And obviously, the whole aim of the exercise is two fold.
12 One is to say what you'll do, and the other is to comply with
13 the legislation. It is not our business to look at activities
14 beyond the prescribed legislation.

15 Having agreed that the document is workable on what we would
16 call a desk audit, the process then goes up for a try period --
17 a trial period, implementation if you like. During that time,
18 we don't offer the ratio of inspection presence. We maintain
19 the level of inspector activity at the level of full-time
20 inspection.

21 Generally, a plant would have a minimum of a four-week trial
22 period to implement the program, and if it is working at that
23 point, they're deemed to be up and running. Follow up from
24 that is that we have a parallel system of audit, and we have
25 trained audit teams who are both -- both local and brought in
26 from outside.

1 We regard the -- you know, the guy from Camber or from
2 Brisbane coming across to Pearth or whatever as being an
3 essential element of a healthy audit process because we learn
4 from each other, and also you don't have the danger of
5 familiarity with the people or the plant creeping into the
6 judgments.

7 But we have two formal audits a year of each plant which has
8 a program like this in place, plus one unscheduled audit, and
9 those audits are done according to international guidelines.

10 We also believe and it's absolutely essential to back this up
11 with a strong sanctions policy and that there are a variety of
12 penalties imposed by the agency if at audit we find defects
13 which are rated according to minor, major, or critical. A
14 critical defect can lead to suspension of the operating
15 license, and we have everything in between.

16 The other -- if you like bait on the whole hook of this issue
17 has been that we have tried as much as possible to align the
18 structure of these documents to the ISO standard document so
19 that our industry is being encouraged to develop a standards
20 process which is -- recognized internationally, and we very
21 much hope we'll be seen as a marketing tool for the companies
22 themselves. Thank you.

23 MR. BILLY: Rosemary.

24 MS. MACKLOW: I know what I wanted to ask. I almost forgot.
25 I got so fascinated with the Australian presentation.

26 Currently, we operate under an inspection program that says

1 some people think it's free, but what it really is an allocated
2 one shift or two shifts of operation, then we pay for overtime
3 beyond that. As we've talked today, we've talked more about
4 the inspection system, looking a lot more like the way FDA does
5 some inspection system, and so my question back to you
6 gentlemen -- I finally got a real question -- is -- the other
7 day I came to an issue with a member plant where the inspector
8 insisted that while he had his lunch break, they also have
9 their lunch break, and they're not going to move a piece of
10 meat or anything else during their lunch break. It's
11 absolutely absurd to patrol inspection system.

12 Is there some way in this process that you have considered
13 reevaluating the allocation of the eight hours or the 16 hours,
14 or ten-hour shifts, or whatever, within the structure of the
15 system rather than hanging on to a traditional and rather
16 archaic system where you're not going to be there every hour of
17 every day to stand and watch? That you're going to be doing
18 patrol inspection, and therefore, you really need to change the
19 fundamentals on the expectations of the inspectors. Have I
20 lost you all?

21 MR. TAYLOR: Not completely.

22 MS. MACKLOW: Not really, no.

23 MR. TAYLOR: I want to say something at a very high level of
24 generality in answer, Rosemary, and then maybe Bill or others
25 would want to add their thoughts. You know, one of the things
26 that we think the HACCP philosophy embodies, and I think you'll

1 actually see some discussion of this very explicitly in one of
2 the team top to bottom team reports that talks about better
3 defining the distinction and role between the plants and our
4 inspectors.

5 And one of the things that we need to do is separate
6 ourselves somewhat from those plant -- daily plant operational
7 decisions. I mean, we shouldn't be making what amount to
8 plant, you know, operational decisions, and so with respect to
9 the, you know, the incident you mentioned, I mean,
10 philosophically, we're moving in a different direction than one
11 that requires, you know, what you describe in terms of
12 simultaneous lunch hours at a processing plant.

13 What that means is that the redistribution of hours, you --
14 perhaps others can be more helpful in it because I'm not --
15 you're asking the wrong person that question.

16 Bill, do you -- maybe we don't have an answer but Bill --

17 MR. SMITH: I don't think we have an answer other than what
18 we have envisioned on -- at least for day one because that does
19 work within the existing structure. It's working within the
20 existing tours of duty and those rules for start up.

21 MS. MACKLOW: So you haven't really looked at this question
22 yet.

23 MR. SMITH: No.

24 MS. MACKLOW: Could you add it to your shopping list,
25 please?

26 MR. BILLY: It's on the list. That I can assure you.

1 MS. MACKLOW: Good.

2 MR. BILLY: I don't think we've come to any closure about
3 how to --

4 MS. MACKLOW: I understand. You know, I don't expect your
5 Boeing 747 pilot -- so, you know, this is -- you're getting
6 some ideas out of us, but as we reform this system, you can't
7 reform it without looking at the allocation of hours of work to
8 your inspection force.

9 MR. TAYLOR: The -- I have a few mantras as you know. One
10 of them is that we have to make the best use of our resources
11 to improve food safety, and the top to bottom was designed to
12 be sure we're doing that, and that has everything to do with
13 how we deploy our inspectional resources.

14 So the test in the future as we make this transition for how
15 we allocate those hours will be what's the best use of our
16 resources to improve food safety, and if that suggests a
17 different deployment and a different array of hours, I mean,
18 the test is is that a better use of our resources to improve
19 food safety? If it is, we'll do it differently.

20 MR. BILLY: Katie.

21 MS. HANIGAN: Katie Hanigan with Farmland. Back on prior
22 approval one more time. I guess the concern I would have --
23 and I would be in favor of some type of prior approval but then
24 have flexibility to be able to modify the program as your HACCP
25 program as evolving, et cetera -- my concern would be if we did
26 not have a mechanism like that, and we had a dispute at the

1 plant, and we continued to operate, and it took four to five
2 business for a ruling as to whether or not the program was
3 correct or not correct, what's the status of all the product
4 that I've been making all week?

5 I mean, if it's an issue at 11:00 in the morning, and we're
6 told you were wrong. The program's not correct. What about
7 the product that was made at 10:00 that morning or 10:00 the
8 morning prior to that? I mean, how are you going to handle all
9 that?

10 MR. SMITH: Right now -- I mean, how is it envisioned now?

11 MS. HANIGAN: No, that's just a general comment that I'm
12 making.

13 MR. SMITH: Well, again, I know this causes some problems,
14 but again, if we have validated our program right, then you
15 know, and it is done correctly, we shouldn't be in that
16 situation. But if we are -- if we have a health hazard out
17 there, then we're going to have to react to that.

18 And so we would be -- I mean, if we determined that a
19 critical limit was insufficient, then the product produced
20 under an insufficient critical limit would have to be pulled
21 back.

22 MR. BILLY: Bruce.

23 MR. TOMPKIN: Bruce Tompkin. I'm from Armour, Swift,
24 Eckrich, and it's our view that prior approval is an
25 inappropriate direction for the agency to take. It's fraught
26 with considerable difficulty. I know there will be

1 controversy. This process is not going to be easy. It's going
2 to be very slopping in fact.

3 However, HACCP plans are dynamic. Processes are dynamic.
4 They should be allowed to change. I think the agency stated it
5 correctly in the proposal that it is a continuing process of
6 approval, but I'd like to add that it's not only a continuing
7 process for approval by the agency but also by the plant and
8 also by any corporate oversight or, if the plant elects, by a
9 process authority that might be brought in.

10 So I -- you know, if you do have an agent -- the IIC, for
11 example, sign off onto a -- onto the HACCP plan, he's buying
12 into it. He's a partner in it. I believe the -- I see the
13 inspection force should participate in the developing plan. I
14 think that's a healthy cooperative effort toward improved food
15 safety with a common understanding of the goals and how they'll
16 be achieved.

17 Now, HACCP plans basically are the plant's plan for
18 preventing problems, and it's their responsibility to develop
19 those plans as best appropriate for their plant, their
20 processes, their equipment.

21 I think that that is generally in line with the
22 recommendation also of the national advisory committee and
23 recommendations relative to the role of the agency, and so for
24 that -- for all those reasons and others, prior plant approval
25 I think would be stepping off onto the wrong direction at this
26 point in time.

1 MR. BILLY: Irwin.

2 MR. MUSKAT: Irwin Muskat, Jackback Foods. As far as prior
3 approval is concerned, I certainly concur with the last
4 speaker, and I don't see that prior approval is a function that
5 should be necessary by FSIS.

6 For those people in the industry, however, that in some cases
7 rightfully are -- have anxieties over setting up a proper HACCP
8 plan, I have an awful lot of trust in our entrepreneurial
9 spirit that's out there, and if not the entrepreneurial spirit,
10 I'm sure that the profit motive will generate enough people
11 that will find ways to develop a business of developing HACCP
12 plans, and for a small fee somewhere along the line, they will
13 be able to buy a HACCP plan that fits their needs and fits the
14 government's profile.

15 I'd like to go back to one other thing, however. When -- I
16 think it was Rosemary. I don't remember who brought the
17 comment up but deployment of FSIS inspection personnel. I do
18 understand that, you know, at this juncture, you may not be
19 totally committed to how you want to deploy your personnel, but
20 as a concept, let's take plants that are currently under full-
21 time inspection at all hours of operation, whether they be TQC
22 or not TQC plants, and let's take those same plants that are
23 operating under HACCP plans when they're approved or when
24 they're instituted, I should say, functioning, well-functioning
25 HACCP plans, well under control. Would it still be in your
26 purview to assume that you're going to have full-time

1 inspection in those plants?

2 I mean, that's sort of going as far up as you're going to go
3 if you're -- if you still intend to have full-time inspection
4 in those plants, it would seem to me that we're still not
5 looking at what HACCP is supposed to be able to do for the
6 service, and that is to be able to free up personnel where it's
7 the most obvious place that they can be freed up. If you can't
8 get them freed up at that point, you're not going to get them
9 freed up anywhere.

10 That's a question.

11 MS. MACKLOW: -- I'm sorry, Mr. Taylor.

12 MR. TAYLOR: Rosemary, I'm more than happy to have you head
13 me off on --

14 MS. MACKLOW: I was just going to add to Irwin's comment and
15 say, if you're really buying into this whole game plan, you've
16 got to start thinking about assigning your most valuable
17 resources which are your inspection field people based upon
18 risk rather than on volume, and so that's where this issue
19 comes back, and I think that's what Irwin was getting to, and I
20 just put some other words around it. Is that about, Irwin?

21 MR. MUSKAT: You're terrific.

22 MS. MACKLOW: Thank you.

23 MR. TAYLOR: And I think that -- you know, that idea,
24 obviously, is one that has been around as one of the ideas
25 contained in -- among the options in the top to bottom, you
26 know, preliminary report.

1 Let me just deal as directly as I can with your question. We
2 have a certain statutory framework for inspection --
3 understanding of which in the processing arena is that the
4 aspiration is to have an inspector there on a daily basis, and
5 we have a program that has been designed to do that. That's a
6 program that, given our resource levels, is enormously
7 difficult to meet to the letter, but that remains the
8 aspiration of the statute and the program.

9 Again, there's no question about the fact that we move
10 towards HACCP, we need to take advantage of what it does for
11 us, to work in every way we can to make the best use of our
12 resources to improve food safety, and increasingly within the
13 current statutory framework, you know, we certainly intend to
14 look for ways to deploy our resources in that spirit including
15 attempting to set some priorities and target our efforts on
16 those activities within any particular plant and among the
17 spectrum of plants, target those activities that will make the
18 greatest contribution to food safety with the resource we've
19 got.

20 So that's the idea, and you know, how that is going to play
21 out in practice is -- I mean, that's going to evolve over a
22 period of time, but that's our objective.

23 MR. MUSKAT: I hear what you're saying, but it's obvious
24 that there's going to have to be some legislative changes if
25 we're going to even have this whole program -- an effective
26 program, but if we're going to the point that we are at the

1 level of the lowest health risk in the -- in the industry, and
2 your viewpoint as far as FSIS is concerned, and we haven't
3 already predetermined that this is the one obvious area that
4 the movement and deployment of personnel should be more
5 available to you, then I still say that we're -- we're looking
6 at a viewpoint from the service that we're almost guaranteed
7 continued layering of inspection, continued possibility of
8 reverting back to traditional inspection whenever that
9 opportunity opens itself at the plant level.

10 MR. TAYLOR: You know, again, conceptually, we're going down
11 the path I've described. I mean, already in our programs at
12 PBIS, I mean, we target inspectional tasks already in varying
13 ways with taking into account -- we need to do more of that.
14 There's no question about it.

15 And so -- but again, we're at the beginning of a transitional
16 process that has to lead to better use of our resources.

17 MR. BILLY: Dane.

18 MR. BERNARD: Thank you. Dane Bernard, National Food
19 Processors Association. I will take at this time -- there was
20 a question about something earlier, and then I'd like to come
21 back to approval.

22 During Pat's presentation, Pat Stolfa mentioned three areas,
23 the process, the stabilization of product, and packaging, and
24 you talked about performance criteria for the process and for
25 the stabilization, but we did not get to unless I missed it the
26 performance criteria that you're looking for in packaging, and

1 I'd like to hear that before we leave.

2 Going on to acceptance, prior approval of HACCP plans -- the
3 buzz is back -- in our comments, we expressed that we didn't
4 think that was a good idea, and I'm going to just leave it at
5 that because there has been considerable debate, and there are
6 opinions, and having an accepted plan with some kind of a stamp
7 or thumbprint or something of the agency on it has a certain
8 appeal to it.

9 But I would urge you that in your deliberations over that
10 that you think about different segments of the industry. If
11 you're a high-volume slaughter operation, and you're doing one
12 species, and that's all you do, it's a fairly thing because
13 you're going to have a HACCP plan or a HACCP plan with a few
14 nuances to deal with, and it's probably not going to vary
15 greatly.

16 If on the other hand as you've heard some of our small
17 processors who are in the business of making many, many
18 specialty items, each one of those plans -- and here we're
19 talking about the further processing industry and the needs of
20 that industry versus what seems to be the target of most of our
21 discussion which -- which happens to be the slaughter and
22 fabrication part of our industry.

23 The needs in the two segments are going to be vastly
24 different. To expect prior approval on multiple HACCP plans in
25 a smaller operation, I think, is going to be very, very
26 burdensome, and that needs to be considered.

1 In addition, Bruce Tompkin very well laid out that HACCP is a
2 dynamic system. That's one of it's benefits, and while Bruce
3 describes it as being messy, it can be messy, but that's one of
4 its major benefits. That we are trying to put in place a
5 system which will give us a much more responsive way of
6 responding to new food safety problems.

7 When the next bug that evolves and Mother Nature plays
8 another little turn on us, we have if we do HACCP right, we'll
9 have in place a system which we can put in preventive measures
10 and add critical control points or make adjustments in critical
11 limits much more quickly than waiting as we have had to do with
12 E. coli for the agency to decide what the best way to handle it
13 is, and then put out directives to the field in how to make
14 adjustments.

15 So we're hoping to put in a much more responsive system. The
16 price for putting in a more responsive system is that we must
17 decide how to deal with flexibility and quick change, the need
18 or the possibility of quick change. Prior approval runs
19 counter current to that. It's not going to be something that
20 you can do very quickly.

21 So those are some of the things that I think need to be
22 considered. Thank you.

23 MR. BILLY: Stanley.

24 MR. EMERLING: Stan Emerling. With respect to this prior
25 approval thing, maybe if I would take the word preapproval off
26 of it, but I think we need to know the rationale that small

1 businesses would have. They need a comfort level. Jim Marsden
2 said it. Jim Lochner said it. Caroline Dewaal said it.

3 I really feel we need something there to assure those smaller
4 people that they are going to be able to continue and go
5 forward. Remove that fear factor that something is going to
6 come down because they -- they've done something incorrectly.
7 Whether it be the inspector, and I have a little problem with
8 that after listening to Mr. Haslam up there say how well
9 educated, how well trained his Australian people who are doing
10 that and have not seen it whatsoever in -- at least so far in
11 what has been presented for the training for the inspectors,
12 and recognizing as I've seen from time to time that we can't
13 even get inspectors today to take the initiative to approve
14 simple labels because they don't want to put any onus or burden
15 upon themselves, you -- you're really putting us in a real
16 problem.

17 Those plans may never get approved, or they may be so
18 nitpicked that they'll be impossible to be approved. I mean,
19 you're just adding commotion unless you have a step in there
20 that assures all of us small guys that we can go forward and do
21 what we want to do because we're not -- we've -- we were one of
22 the first supports of HACCP. Years back, we supported it, and
23 all we want is a way to do it and do it effectively.

24 So don't take that away from us, and don't put us in trouble
25 that we don't know how to get out of nor have the resources to
26 overcome.

1 MR. BILLY: Joe.

2 MR. POCIUS: Thank you. I've heard a number of -- well, to
3 Stan's point, the approval process as we see it is the
4 validation process, and Mr. Haslam pointed out they use
5 approval, the word, in Australia. Here, we've been referring
6 to it as validation.

7 When I refer to prior approval as a formalized process, I'm
8 referring to the blueprint formalized preapproval, labels
9 preapproval. We would not like to see a system wherein we
10 submit our HACCP programs to Washington headquarters, wait in
11 line until they're reviewed, and then return back to the field,
12 and then maybe someday, you know, after we're passed the
13 deadline for having implemented, we may be able to try and do
14 it.

15 So the validation process would take place in the plant, and
16 in this case, the IICs who would work with you as Bruce Tompkin
17 had mentioned, that would be your stamp of approval if you
18 will.

19 On the other hand, the IIC gets the opportunity to challenge
20 that. In the case of a challenge whether it be an original
21 HACCP program or a modified, it then goes through that appeals
22 board, and as we've looked at this appeals board, we looked at
23 it in terms of a very rapid turnaround, not a four or five day
24 process. You know, a 24 to 36 hour process. It may be wishing
25 for a lot, but if that's all that they do, then that should --
26 it should work.

1 Now, the question earlier was raised, what happens to product
2 in the meantime. As we've looked at it, unless it's a -- a
3 flat-out plain health critical issue, the process shouldn't
4 stop. That's a decision for the appeals board to make. You
5 haven't failed anything. You haven't failed a critical control
6 point. You haven't -- you failed a HACCP program. You're just
7 going through a validation of a new -- a new system. Until you
8 fail, why should your product be -- be controlled?

9 MR. EMERLING: If I may respond -- would it be all right?

10 MR. BILLY: I --

11 MR. EMERLING: I won't if you don't want me to. I'm just
12 saying, I'm not looking for an elongated process like you're
13 talking, Joe, but you also have to understand the differences
14 in the way that business is run.

15 I would suspect that most turkey producers work the
16 inventory. They can have product there. A delay of a day
17 might not make a difference, or four days, to get the thing
18 back or two days, but in smaller plants, it's instant business.
19 They take orders at nine and ten o'clock in the morning to
20 delivery before noon, and so we need to be sure that there is a
21 process that's approved that goes -- that doesn't -- we don't
22 it elongated, but we want the safety net of knowing that at
23 least what we're doing is okay.

24 MR. LOCHNER: I want to jump in here. Really, what I'm
25 asking -- and I agree with what Bruce Tompkin said. In theory
26 and in practice, that's the way it should be, but the key is

1 the first shot eliminate as much variability as you can of
2 opinion. That's the primary reason to go after it.

3 And I think a review panel -- it would be -- it would be an
4 adjunct to the training of the inspector in charge and company
5 both if you had this review. Now, you can call it an approval,
6 or you can call it a specialized review because I think both
7 the company and I do believe I know how to write them, and I do
8 believe that most people do because they're not that
9 complicated to write.

10 But when people start second guessing, and I worried about
11 the IICs trying to do a good job, and some who think that they
12 know and miss it, and try and eliminate the variability between
13 plants, and we have to recognize reality. It does exist on
14 uniform programs that are submitted today.

15 I would say that maybe we throw out all prior approval on
16 grade labeling programs, net weight, and economic issues, and
17 put all the focus for the next two years on really thorough
18 reviewing and feedback reviewing on this, on HACCP safety
19 related issues.

20 MR. TAYLOR: Let me just make one observation that flows off
21 this discussion if I may because Tom has -- we have a couple
22 more agenda items here yet today, and this has been a very
23 helpful discussion, and I appreciate the back and forth and the
24 getting out some of the reasoning that underlies people's views
25 on this issue because this is a very important concrete issue
26 which we need to make a decision, and our thinking is open.

1 We do have lots of resource and other practical reasons as
2 well as philosophical reasons for being resistant to formal
3 prior approval. On the other hand, we are thinking about
4 various options that I think address some of the concerns
5 including whatever you call it, having immediately accessible
6 to the in-plant inspection program an identified set of experts
7 whose job it would be, you know, to promptly back that
8 inspector up and participate in resolving those in-plant
9 technical scientific issues that relate to whether the judgment
10 is that a HACCP plant is valid or not or even is being verified
11 adequately.

12 But where there are technical issues that require, you know,
13 real expertise that is beyond what we would normally expect of
14 an in-plant inspector, we know we have to have a resource like
15 that immediately available to resolve these. We don't have the
16 details of that worked out, but I think even again if you'd
17 look in the organizational plan, the organizational structure
18 preliminary report from the top to bottom, I mean, there you'll
19 see in that context we're thinking even as we speak about
20 organization. How do you -- how do you place the experts to
21 back that program -- back that in-plant inspector up in a very
22 immediate way independent of a cumbersome chain of command and
23 layered process for resolving disputes.

24 So this has been a very helpful discussion on this issue.
25 Thank you.

26 MS. MACKLOW: Mike -- use the PQC models for the last twenty

1 years is anything to go with?

2 MR. BILLY: I'm going to move along. I know there were some
3 other flags up earlier. We do want to cover this item C on the
4 agenda in terms of FSIS role in facilitating development of
5 HACCP plan, and Pat's going to highlight some of our current
6 thinking in that area, and then we'll have some discussion on
7 that, and depending on time, I'm going to try to wrap it up
8 because I'm told that some time soon, music is going to start
9 back here over in the -- so we'll have to be a little flexible,
10 but -- I -- try your luck. Pat.

11 MS. STOLFA: Pat Solfa, FSIS. I wanted to highlight some of
12 the -- what might be viewed as technical assistance activities
13 on which the agency has been working for a while. These are in
14 various stages of development, and they will result in products
15 that will be available to anyone whose institute, to any
16 company that's interested in using them although we do
17 anticipate that they would be of principle interest and benefit
18 to smaller companies.

19 As we committed to in the preamble to the proposed
20 regulations, we will issue generic models for each of the --
21 each of the process categories that we've identified.
22 Actually, I believe we have nine process categories, and we're
23 working on 12 generic models because within the slaughter
24 process category, we would anticipate more than -- more than
25 one model, and in a couple of other categories, we have more
26 than one model.

1 The generic models are -- will replace the generic models
2 that were developed by the agency some years ago through the
3 workshop strategy. We believe those generic models are not as
4 useful as they might be in terms of providing -- providing a
5 good example of what a -- what our ideas are and regarding a
6 hazard analysis. That's a principle are in which I think those
7 previous models were -- were perhaps not as well developed as
8 they could be, and since that's a really important first step,
9 we wanted to have generic models that were more useful in that
10 regard.

11 We're also trying to make the generic models a little more
12 user friendly. We have completed -- we intend to do some of
13 these internally because we have some capacity to do that, and
14 we hope to be able to contract for some external development of
15 models, but we have completed the first generic model for the
16 raw ground products, and we have put that into peer review.
17 Just late last week or early this week, that went into peer
18 review, and that peer review will include both a scientific
19 peer review and also a peer review by potential users
20 especially small establishment users.

21 So that's the generic model plan that was basically outlined
22 and referred to in the proposal, and I just want you to know
23 it's going along. We're working away, and we expect to be able
24 to meet our commitments in that regard.

25 In addition, for some time, the agency has been working on a
26 handbook for -- or guide book for HACCP implementation. Again,

1 particularly designed to facilitate work in small
2 establishments, and we will be proceeding to ask some small
3 establishments to try and use it and tell us whether or not
4 it's any good.

5 We're about at the point where that's what needs to happen.
6 We've been fussing around with it and dividing it up and, you
7 know, sort of reapportioning it in different pieces, et cetera,
8 but the truth is that what we need to know is whether or not
9 it's of any use to anybody who might be interested in using.

10 So we're making some arrangements to ask people to give us
11 some feedback on that. In addition, we will attempt to do a
12 hazards and controls catalog, similar to but probably not quite
13 the achievement that the FDA hazards and controls for seafood
14 is, but we are working on a similar kind of document to be
15 applicable to meat and poultry products so that there will be a
16 resource to which people can turn to be able to identify the
17 common hazards as well as some of the more common controls, and
18 that is under development.

19 We are in addition aware of some externally developed
20 potential technical assistance materials which might be useful
21 to people, and that is, these aren't things that we did, but we
22 don't particularly need to do them if what these other people
23 have done is valuable, and we can get some agreement from them
24 that they might be willing to let us use it in some way.

25 And -- and at the top of this list here really for us is a
26 how-to video that was developed some years ago by Agriculture

1 Canada, and it might be a nice sort of companion piece to a
2 well-developed small plant handbook. This piece that
3 Agriculture Canada developed is -- at least in the views of
4 those of us who have looked at it -- quite a useful and piece
5 and might have some -- some particular utility for people who
6 want to have in addition to or other than just written
7 materials, they might want to have some sort of visual
8 communications.

9 And we're also aware of some other videos that might service
10 the same purpose, but again, this is a matter of our finding
11 out if the materials are useful and then seeing if we can enter
12 into some arrangements that might permit us to make these
13 widely available at relatively small cost.

14 In addition, we've been aware for some time of some computer
15 programs that have been developed to assist companies in
16 establishing HACCP plans, and in the two cases that we're
17 familiar with, we have had at least informal contacts with the
18 developers of the programs who would have some interest in our
19 working with them to be able to customize those programs so
20 that -- that they were reflective of current food safety
21 standards as applicable to meat and poultry products.

22 And if we felt that we could get some feedback from
23 companies, that that would be a useful thing to have. We could
24 put some resources into doing that. The way the programs are
25 now conceived is more general and more reflective of overall
26 food safety standards, less -- less useful in the specific

1 terms of food safety regarding meat and poultry.

2 And so that's an area in which we -- we just try to keep
3 track of what those people are doing. We have not put a lot of
4 resources into that ourselves because, frankly, we've had some
5 other things to do, but if that would be a useful vehicle, it's
6 certainly one that we'd be willing to explore.

7 So those are what we would -- those are the technical
8 assistance materials that are under development in the agency,
9 and as I say, slowly but surely we'll be coming forward, and
10 we'll be making those available for some initial review as well
11 as for use by people who might be interested in them.

12 In addition to that, we -- we are willing and I believe the
13 agenda alludes to our willingness to entertain proposals or
14 discussions. We don't even usually have a formal proposal
15 process right at the beginning. At least discussions with
16 usually I would say institutional as well as individual
17 companies, individual small and medium sized companies to
18 undertake some demonstration efforts, and I'll describe how one
19 of these -- the one we're working on right now happened.

20 I -- I took Tom's place and made a speech to some people in
21 North Carolina that included the North Carolina Meat Processors
22 and was actually facilitated by a person from the extension
23 service who has ongoing relationships with the North Carolina
24 Meat Processors Associations and with more broadly, all of the
25 meat processors in that state.

26 And during the course of and before and after that meeting,

1 he indicated that he would like to know if there was any way we
2 could -- we could continue to work with them and we could
3 continue to -- his particular interest is in training, and I
4 said, well, you know I've got all these technical assistance
5 materials. Maybe you could use them for training, and in the
6 process, you could give me some feedback as to whether or not
7 they're any good, and so started a relatively simple idea for
8 what we hope will be some demonstration plants of a small size
9 in North Carolina which will have support from the North
10 Carolina extension service.

11 I believe -- and I don't want to speak definitively on his
12 behalf, but I believe he has made contacts with the public
13 health infrastructure in his state and the -- as well as the
14 meat inspection program, and he has an industry group that is
15 willing to facilitate some meetings and get the word out, not
16 just to their own members, but to anybody within the state that
17 would be interested in it, and what I'm hoping will come of
18 that is a series of demonstration plants through which we can
19 have small plants that -- that will demonstrate certain aspects
20 of this process of implementing HACCP and be willing to share
21 their experience so that we can disseminate it and use it so
22 people will overcome what I think in at least some cases might
23 be fears about things that might not be entirely justified or
24 at least fears that we should be able to address if we put our
25 heads together.

26 And this -- the possibility of doing this in more than the

1 state of North Carolina is certainly open. We haven't made any
2 general announcement of that. I believe we should do that in
3 order to -- in order to be fair about all of this, and -- but I
4 think that we would have the resources to do that, and that may
5 be a useful thing for us to do if some other people are
6 interested in it.

7 So that's where we are on these things.

8 MR. BILLY: Comments? Steve.

9 MR. KRUT: Is that pilot project or demonstration project in
10 North Carolina, are you setting these up with a vision of just
11 seeing how they operate or to demonstrate how they operate, or
12 are you actually looking at measurement of effectiveness?

13 MS. STOLFA: I expect that they won't all be the same. In
14 this particular case, and we haven't -- we haven't finalized
15 the plan and a series of objectives as well as time frames
16 which we would like to do in any instance. In this particular
17 case, we're principally focusing on I would say training and
18 technical assistance delivery.

19 And so what we would be wanting -- we would be wanting to get
20 out of that particular demonstration is -- is feedback as to
21 whether or not the training that basically the extension
22 service and the state are sponsoring, supplemented with our
23 technical assistance materials, resulted in sufficient HACCP
24 expertise that companies could in fact move ahead to develop
25 their HACCP plans, and so that's what we would like to
26 demonstrate in that state.

1 At least that's our vision right now, and again, I -- I don't
2 want to act like this is totally finalized when we really are
3 in the sort of back and forth and discussion, and everybody
4 hasn't had a chance to put all of their ideas on the table, but
5 that's what our interest is in that particular case.

6 Now, there's opportunities for a lot of things to be
7 demonstrated it seems to me. That there can be -- there could
8 be demonstrations about how -- how small plants could
9 accomplish a good hazard analysis given that they don't have a
10 whole lot of scientific and technical resources that are
11 immediately available to them.

12 I think that might be something that would be fruitful to
13 look into. I think that we -- we might be wanting to look into
14 the issue that I know you have brought up on many occasions,
15 and that is, what can we do in instances where we have plants,
16 small plants that are producing multiple products in small
17 volume.

18 What can we do about modifying plans in such a way that it
19 might better accommodate that or trying to get all of this into
20 one plan or at least one framework that would make it work a
21 little better.

22 We are not setting out in this series of demonstrations to --
23 to try to answer or to try to answer the question is HACCP
24 effective or is it not effective. That really is not our
25 objective. We have some more -- we have I would say more
26 practical immediate kinds of objectives that we'd like to see

1 accomplished in these demonstrations.

2 MR. KRUT: Okay. That was kind of getting to what I was I
3 guess asking about. I think earlier you heard some reference
4 to -- I think the Jackback had nine different HACCP plans.
5 Somewhere in the proposal, I believe the reference was made to
6 a category of similar products which would identify potentially
7 how many HACCP plans would be needed based on what categories
8 the products were considered to be different.

9 We still don't have that feedback, and that's why I think
10 it's very important that, you know, I know we have timelines
11 we've got to work with, but I think we've got to demonstrate
12 effectiveness of HACCP in a small plant environment before we
13 mandate it.

14 Secondly, I think the -- I appreciate the idea and the
15 concept of looking at a -- maybe an overall generic HACCP
16 concept for a small plant where they do make a lot of different
17 products. Maybe 40 percent of them go through the smoke house,
18 or 25 percent of the hundred different products go through the
19 grinding system.

20 So I appreciate that, you know, the department is interested
21 in pursuing that, but I hope we do take into account
22 measurement of effectiveness.

23 MR. BILLY: Okay. Marsha.

24 MS. ECKOLS: I'm Marsha Eckols with the National Association
25 for the Specialty Food Trade. The members of the association
26 are primarily small companies with make small volumes of

1 products, and many are making multiple products.

2 There are two issues that are probably of paramount
3 importance to them with regard to HACCP. One is the extension
4 or the extra time in order to comply. Perhaps as someone
5 mentioned earlier, letting some of the larger companies try
6 first and experiment and then having the smaller companies
7 follow.

8 One of the things that we've found is that small companies
9 want to be known to consumers as having the same quality and
10 safety of products as the large companies, so they will as
11 quickly as they possibly can do the same things that the large
12 companies do.

13 They will try to do it before any deadline that they're
14 given, but they do need extra time. One of those -- one of the
15 reasons for their requirement for extra time is the financial
16 one. If the figure I heard earlier today of \$27,000 for a
17 HACCP plan for one product is true, for a small company making
18 several products or processing several products, that cost
19 becomes prohibitive for them.

20 That brings in the question of training materials, support of
21 various kinds so that the small companies can do all that is
22 necessary to comply as quickly as possible, but also so that
23 they can understand what is going on. It is impossible for a
24 small company to do what Oscar Mayer suggested by saying we are
25 ahead of you, FSIS, and can you catch up with us?

26 A small company cannot do that and needs a lot more time but

1 has the same desire to produce safe products for consumers. So
2 that the recognition of the need for the extra time, the
3 financial burden on small companies, and the need for training
4 support and assistance are of paramount importance to them.
5 Thank you.

6 MR. BILLY: Joe and then Bruce.

7 MR. POCIUS: -- get this thing on. Hello --

8 MR. BILLY: Okay?

9 MR. POCIUS: Okay. Thank you, Tom. Pat, two quick
10 questions. First was I think I missed what the peer review was
11 for the generic model. If you'd go through that again, I'd
12 appreciate it, and the second question is yesterday I mentioned
13 that we had developed a model HACCP plan for our industry.
14 Would you accept that and put it through your peer review if I
15 get a better understand of what that is and use that? I think
16 that would help underwrite what we -- our efforts in the past,
17 and I think we might be happy to help you out in that case,
18 too?

19 MS. STOLFA: We -- first of all, just let me answer the peer
20 review. We are sending the -- as I said, we are looking to
21 about a dozen generic models, and we are asking that either --
22 if they're developed in house or if we develop them some other
23 way that they be put through a scientific peer review process,
24 and so we have sent our first model out to a couple of highly
25 qualified people for a scientific peer review.

26 In addition, we would like there to be a review by the

1 potential users, especially small companies, so that as part of
2 what we're calling a peer review process, we would -- we would
3 be thinking of sending our generic models to small -- a couple
4 of small companies and just asking them whether or not they
5 communicate effectively, whether or not they can follow them,
6 whether or not the -- you know, there's a certain amount of
7 tabular presentations. Whether or not that's useful and
8 understandable.

9 There's -- literature, there's a bibliography and a
10 literature review probably as part of the hazard analysis, and
11 so the question is is it useful for the people who are likely
12 to be using it.

13 In terms of our being willing to look at your model, we're
14 pretty hungry for models, and so -- and we'll also very poor,
15 so we would be very glad to take whatever people offered to us
16 and see if it meets what -- we kind of have an idea of what
17 we're looking for, and perhaps if it doesn't, that you'd be
18 willing to do some more work, and I think we could work that
19 out.

20 MR. POCIUS: Yeah. Well, back in 1991 when we did this
21 work, I did ask some people from the micro committee to look at
22 it, so we kind of went through that process anyway. Though,
23 that was probably different people and an earlier era, and it
24 has been distributed to the industry, and it's specifically for
25 a mid sized or smaller member who couldn't do it in house
26 himself.

1 So I think I'll pass that through some of our members and see
2 how they feel about it, and I will get back to you. Thank you.

3 MR. BILLY: Bruce.

4 MR. TOMPKIN: I'm Bruce Tompkin from Armour, Swift, Eckrich.
5 I have been following this evolutionary process, of course, for
6 a number of years relative to HACCP, and the weakest link at
7 this point in time is the lack of availability of good generic
8 HACCP models.

9 They -- if you look at what's available in the literature or
10 through the previous USDA pilot study that was conducted --
11 there was a pilot study, but also they brought together groups
12 to work on the cooked sausage and the ground beef and so on.
13 There really is not acceptable as HACCP plans today.

14 I have been involved with a very small group of people to
15 generate HACCP plans. It's a very time-consuming processing,
16 but I would recommend at this point that they are so important,
17 it is, of course, best to work from a draft. It's better to
18 modify a draft than to create something, but it's also very
19 helpful to do it across a table where you can talk about what's
20 going on.

21 A -- to send a HACCP plan out for peer review is one step
22 that's a good idea. That's one step towards that process, but
23 there's so much that can be gained in a very short period of
24 time to have a small group of experts in hazard analysis to sit
25 around and actually go through that process.

26 The national advisory committee is an option. However, they

1 move very slowly. I know. I was in that process. In six
2 years, we got two out. One on beef and one on broilers. What
3 you're looking for and what we need at this point in time is a
4 collection of very good scientifically based and critically
5 reviewed HACCP plans.

6 MR. BILLY: Lou Gast, you had your flag up earlier?

7 MR. GAST: Thank you, Tom. I particularly thank you for
8 giving me another opportunity since I wasn't here at the last
9 call, but I had agreed to wait my turn, and I waited so long I
10 had to leave before my turn was called.

11 In any event, just by way of background, I spent in excess of
12 the required amount of time with the Department of Agriculture,
13 and when I met that and also the requisite age, I left the
14 department in 1986 as the associate administrator of FSIS, and I
15 have since been -- had the opportunity of joining the private
16 sector, and I'm known among -- fondly, I hope, among those in
17 this area at least as one of the beltway bandits.

18 But my purpose in asking for time at the microphone was
19 initially to support two folks, Stanley and Steve, in terms of
20 their views that a dispute resolution process was necessary.
21 Since I asked that time, there has been a number of other
22 people, and I support all of them, Jim Marsden among them, and
23 anybody else who either has said there needs to be
24 consideration given to this, and those who may not have said it
25 but feel it.

26 It's not enough in my view for the agency to say, we're using

1 an appeal process. Everyone has a right of appeal. The appeal
2 process in my view is cumbersome, and in many cases, it does
3 not work. In order to work, and I -- by the way, I don't have
4 an idea for a better one except this -- in order for any appeal
5 process to work, it's got to start at the agency top. There
6 has got to be agency commitment to accountability on the behalf
7 of inspectors, supervisors, and management within the agency.

8 And if you think that there -- that that's not necessary
9 because you haven't had that many disputes to resolve, I would
10 suspect that if there was an effective way to do it, there
11 would be disputes to resolve. Today, many people will not go
12 through the process for a number of reasons, not the least of
13 which is they may -- the feeling at least -- that they may win
14 a battle but lose a war.

15 So I encourage you to give that consideration and dispute
16 resolution, just as we're attempting to do here through this
17 process. If in the best of all worlds, this dispute resolution
18 process at the end of the day on the 29th resolves all disputes
19 and a final regulation is issued, you will have disputes
20 regardless of that after that date.

21 MR. BILLY: Okay. Paul.

22 MR. KORODY: Just getting back to Joe and the turkey issue,
23 if there was a generic program for turkey slaughter which the
24 department signed off, if an enterprising turkey plant just
25 adopted it without modifying it to its circumstances, would
26 then the inspector there be obligated to accept that?

1 MS. STOLFA: -- generic models -- generic models are not
2 really designed to be sort of taken off the shelf and then
3 submitted. They really do need to be accommodated and modified
4 to suit the circumstances in a particular plant, and it seems
5 to me very unlikely that -- that one could just take a generic
6 model without modification and have it sort of -- have it
7 adequately reflect what was going on in the plant because the
8 way the -- the way the plan gets individualized is by -- is by
9 -- is by modifying it to reflect exactly what the processes and
10 procedures and practices are in the plant.

11 And so I don't think that -- I mean, that's certainly not
12 what they're designed for. I think it would be somewhat
13 difficult to make it work that way, but Bill can talk about
14 what he's going to do about it.

15 MR. SMITH: Well, I agree with Pat's thinking, and that when
16 we use the V word, that we would probably say that that is not
17 a validated plan unless we see that the effort to do the hazard
18 analysis or particular to that plant that the monitoring
19 activity is particular to that plant. The records being kept
20 are particular to that plant, and the plant verification
21 activities again are particular to that plant.

22 MR. BILLY: I'm going to wrap this up. The -- I appreciate
23 everyone's patience and input. The paper for tomorrow is
24 available out on the table if you haven't picked it up already.
25 We will start tomorrow morning at 9:00.

26 (Whereupon, the meeting ended at 6:07 p.m.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26

CERTIFICATE OF REPORTER, TRANSCRIBER AND PROOFREADER

FEDERAL-STATE RELATIONS CONFERENCE

Name of Hearing

Docket No.

U.S. DEPARTMENT OF AGRICULTURE, Washington, D.C.

Place of Hearing

September 14, 1995

Date of Hearing

We, the undersigned, do hereby certify that the foregoing pages, number 2 through 175, inclusive, are the true, accurate

1 and complete transcript prepared from the reporting by Tim
2 Clark, in attendance at the above identified hearings, in
3 accordance with the applicable provisions of the current USDA
4 contract, and have verified the accuracy of the transcript by
5 (1) comparing the typewritten transcript against the reporting
6 and recording accomplished at the hearings and (2) comparing
7 the final proofed typewritten transcript against the reporting
8 or recording accomplished at the hearing.

9
10
11

12 _____ Leah Perry _____

13 Date Name and Signature of Transcriber
14 Deposition Services, Inc.

15
16
17

18 _____ _____

19 Date Name and Signature of Proofreader
20 Deposition Services, Inc.

21
22

23 _____ Tim Clark _____

24 Date Name and Signature of Reporter
25 Deposition Services, Inc.